STATE OF RHODE ISLAND PROVIDENCE, Sc. SUPERIOR COURT
RICHARD SOUTHWELL, et al.)) VS.) NO: PC-2021-05915) DANIEL J. MCKEE, et al.)
HEARD BEFORE THE HONORABLE MR. JUSTICE JEFFREY LANPHEAR Volume 5 <u>OCTOBER 13, 2021</u>
APPEARANCES: GREGORY PICCIRILLI, ESQUIRE FOR THE PLAINTIFFS MICHAEL FIELD, ESQUIRE CHRISANNE WYRZYKOWSKI, ESQUIRE MORGAN GOULET, ESQUIRE
Andrea Iacobellis, CSR Certified Shorthand Reporter

	INDEX	
WITNESSES	DIRECT	CROSS
DR. JAMES MCDONA	ALD 5	
	<u>EXHIBITS</u>	
DEFENDANTS'	IDENTIFICATION	FULL
N	5	10
0	5	
Р	5	13
Q	5	13
R	5	56
S	5	66
Т	5	52
U	5	
В		42
(CERTIFICATI	O N

I, Andrea Iacobellis, CSR, hereby certify that the succeeding pages, 1 through 77 inclusive, are a true and accurate transcript of my stenographic notes.

ANDREA IACOBELLIS, CSR Court Reporter

13:41:22	1	(Afternoon Session)
13:41:22	2	THE CLERK: The matter of PC-2021-05915 ,
13:41:29	3	Richard Southwell vs. Daniel McKee. Defense counsel has
13:41:35	4	premarked Exhibits N through U for identification.
13:41:35	5	(Defendants' Exhibits N-U were marked for
13:41:35	6	identification.)
13:41:38	7	THE CLERK: Counsel, would you please identify
13:41:40	8	yourselves for the record, please.
13:41:41	9	MR. PICCIRILLI: Gregory Piccirilli for the
13:41:41	10	Plaintiffs.
13:41:44	11	MS. WYRZYKOWSKI: Crisanne Wyrzykowski for the
13:41:46	12	Defendants.
13:41:47	13	MR. FIELD: Michael Field for the Defendants.
13:41:49	14	MR. GOULET: Morgan Goulet for the Defendants.
13:41:52	15	THE COURT: Thank you. Okay. So Dr. McDonald
13:41:57	16	I believe was on the stand last time finishing up his
13:41:57	17	direct.
13:42:01	18	MS. WYRZYKOWSKI: Correct.
13:42:03	19	THE COURT: So we'll return to that.
13:42:05	20	MS. WYRZYKOWSKI: Yes, Your Honor.
13:42:26	21	THE CLERK: Having been previously sworn in you
13:42:28	22	are still you under oath. If you could please state your
13:42:30	23	name again for the record, please.
13:42:31	24	THE WITNESS: I am Dr. James McDonald.
13:42:34	25	THE CLERK: Thank you.

Ready when you are. 1 THE COURT: 13:42:34 2 MS. WYRZYKOWSKI: Thank you, your Honor. 13:42:42 CONTINUED DIRECT EXAMINATION BY MS. WYRZYKOWSKI 3 13:42:44 Good afternoon, Doctor. Doctor, when you were here 4 13:42:44 0 5 testifying last week, we had left off on discussing 13:42:50 6 Plaintiffs' Exhibit 4, Executive Order 2186. So I'm 13:42:56 7 going to go back to that Executive Order. In fact, we 13:43:00 should ask Melissa to have it first. Plaintiffs' 4 and 8 13:43:04 9 5, please. 13:43:08 Plaintiffs' 4 and 5? 13:43:10 10 THE CLERK: 13:43:11 11 MS. WYRZYKOWSKI: Yes, please. 13:43:14 12 THE CLERK: All right. 13:43:26 13 (Exhibits given to witness) Doctor, just to confirm you have in front of you what has 13:43:31 14 0 been marked as -- entered into evidence as Plaintiffs' 13:43:35 15 Exhibit 4 and Plaintiffs' Exhibit 5, which is Executive 13:43:37 16 Order 2187 and Executive Order 2186; is that accurate? 13:43:41 17 13:43:45 18 А Yes. 13:43:45 19 So I'm focussing your attention now back to Executive Q 13:43:52 20 Order 2186, which is in full as Plaintiffs' Exhibit 4, which is where we left off? Do you have it? 13:43:55 21 13:43:59 22 I have it right now. Α 13:44:01 23 Okay. Thank you, Doctor. When you last testified we had Q 13:44:05 24 left off with you testifying something to the affect of 13:44:08 25 that you knew that the current school year 2021 to 2022

5

13:44:13	1		would be different. Do you recall that testimony?
13:44:15	2	A	Yes.
13:44:15	3	Q	Could you please explain why you knew that the 2021 and
13:44:20	4		2022 school year would be different?
13:44:24	5	A	So the Delta variant was the dominant strain in Rhode
13:44:29	6		Island as of July 4th, so that was the difference.
13:44:32	7		Another big difference was we really wanted to get
13:44:36	8		children back in school, all of them, and so we knew that
13:44:40	9		would require them being 6 feet of each other.
13:44:43	10		We also know that a lot of people were vaccinated,
13:44:47	11		no one I can recall was vaccinated. Some of the kids 12
13:44:51	12		and older were vaccinated, about 53 percent, but none of
13:44:53	13		the kids under 12 were vaccinated.
13:44:56	14		These were some of the differences that were there.
13:44:59	15		The biggest difference though was the rise of the Delta
13:45:02	16		variant, which went from almost none before July 4th to
13:45:06	17		becoming the dominant strain within three weeks.
13:45:09	18	Q	And, Doctor, those facts that you just listed, 53 percent
13:45:14	19		of the K-12 population over 12 had been vaccinated. You
13:45:18	20		knew that the Delta variant had become dominant and
13:45:21	21		desiring to get kids back in school. Were these factors
13:45:24	22		in advising the Governor with respect to Executive Order
13:45:27	23		2186, Plaintiffs' 4?
13:45:29	24	A	Yes.
13:45:29	25	Q	Looking at Plaintiffs Exhibit 4, can you please go to

Г

1 Page 3. Doctor, directing your attention to Exhibit 4, 13:45:35 Can you please read the second paragraph aloud. 2 Page 3. 13:45:57 3 It says, "Whereas Rhode Island Department of Health 13:46:00 А modeling team of statisticians and public health 4 13:46:03 5 professionals reports that based on its statistical 13:46:07 6 analysis, without continued and improved mitigation 13:46:10 7 measures, the Delta variant may cause an increase in the 13:46:15 rate of deaths by the end of September 2021." 8 13:46:18 9 Doctor, I'd like to break down this paragraph. What does 13:46:22 Q 13:46:25 10 the phrase modeling team and statisticians and public 13:46:28 11 health professionals mean? 13:46:30 12 It refers to people who work with us at the Rhode Island А 13:46:33 13 Department of Health, or people who are trained in epidemiology, trained statistics who do run predicted 13:46:35 14 models, based on information they had, what they think is 13:46:41 15 going to happen. 13:46:44 16

13:46:4517Other sources, based on how many cases they think13:46:4918they're going to have in the future. How many13:46:5019hospitalizations they think we're going to have. How13:46:5320many deaths we're going to have, so we can do appropriate13:46:5621planning based on that.

13:46:5722QAnd do you reply upon the information that is provided by13:46:5923the modeling statisticians when formulating your opinion?13:47:0224AYes, it's some of the information I rely on.

13:47:05 25 Q Doctor, you talked about the modeling statisticians

13:47:08	1		creating an actual model, and the purpose of that model
13:47:13	2		is what?
13:47:13	3	A	Well, it's so we can predict to some degree, how many
13:47:18	4		people we expect to have cases. In other words,
13:47:23	5		infected with COVID-19. How many people they think are
13:47:25	6		gonna end up in the hospital so we can plan how the
13:47:29	7		hospitals are going to do all of this and whether or not
13:47:31	8		we need to open our alternate hospital sites.
13:47:34	9		We also want to plan how many deaths we're going to
13:47:37	10		have. It's things like that that we factor in.
13:47:40	11		We also factor in known cases. How many people are
13:47:44	12		vaccinated. How many people are infected to date. But
13:47:46	13		there's other variables that are used as well.
13:47:48	14	Q	And, Doctor, that paragraph that you read aloud, the
13:47:51	15		Executive Order, contains the language, continued and
13:47:54	16		improved mitigation strategy. Do you see that?
13:47:56	17	A	I do.
13:47:57	18	Q	And what were the continued and improved mitigation
13:48:02	19		measures that were referenced in that Executive Order, if
13:48:06	20		you know?
13:48:06	21	A	Well, so this refers to strategies like masking in
13:48:10	22		schools, recommending masking for everyone else,
13:48:14	23		particularly in indoor settings. It also refers to other
13:48:17	24		mitigation measures we did like more deployment of
13:48:23	25		monoclonal antibody treatment, which is an intravenous

1 infusion that people use to treat for Covid. 13:48:25 2 It also refers to other mitigation strategies we had 13:48:28 3 like alerting the public and businesses about the 13:48:31 increase in cases so people can make some decisions to 4 13:48:33 5 decrease the spread. 13:48:37 6 And that's a source of information that the general 13:48:38 Q 7 public can use that contains this statistical models that 13:48:40 you're referencing? 8 13:48:43 9 А Well, everything that I referred to, almost everything is 13:48:44 13:48:46 10 on our Covid data dashboard on the Department of Health 13:48:50 11 website. We've got a Covid data website. I call it the 13:48:55 12 Covid data dashboard, but the majority of that 13:48:57 13 information is publically available on our Covid data website. 13:48:59 14 Doctor, I'm going to talk to you now about the data 13:49:00 15 Q 13:49:03 16 dashboard. Doctor, I'm giving you an exhibit that has been premarked as Exhibit N. 13:49:20 17 Doctor, you were just referencing the data 13:49:26 18 13:49:40 19 dashboard. Could you please identify the document that 13:49:44 20 you have before you, which has been marked as Exhibit N for identification? 13:49:47 21 13:49:47 22 So Exhibit N is the June 30, 2021, Rhode Island Α Yes. 13:49:53 23 COVID-19 Response Data Dashboard, and its excerpts of some relevant pages from it. It looks to be about 6 or 7 13:49:58 24 13:50:02 25 pages of that report. There's 22 pages in the entire

report. This looks like about 6 or 7 pages. 1 13:50:04 2 And, Doctor, you previously testified that this is some 13:50:08 Q 3 of the information that you at the Rhode Island 13:50:11 Department of Health utilizes in helping to formulate 4 13:50:13 5 your opinions with respect to the COVID-19 pandemic? 13:50:15 6 Yes, that's correct. This is the summary of the А 13:50:18 7 information we use. 13:50:20 MS. WYRZYKOWSKI: Your Honor, I ask that 8 13:50:22 9 Exhibit N be moved in full, and I have a series of other 13:50:23 13:50:26 10 exhibits that go with that. 13:50:28 11 MR. PICCIRILLI: No objection. THE COURT: N is full. 13:50:30 12 13.50.30 **13** (DEFENDANTS' EXHIBIT N WAS MARKED FULL) MS. WYRZYKOWSKI: And, your Honor, we also have 13:50:31 14 Exhibit 0, P and Q, which is the excerpts from the data 13:50:33 15 dashboard, which the Doctor will be testifying about 13:50:39 16 13:50:42 17 next. They have all been premarked. I'm giving you what has been premarked as Exhibits Q and 13:51:00 18 А Ρ. 13:51:52 19 13:51:59 20 MS. WYRZYKOWSKI: This is a copy for the Court. Another copy for the Court, this is O. 13:52:11 21 13:52:29 22 Okay. Just to review what is before you, Doctor, and 0 13:52:32 23 I've given a copy to the Court as well as opposing 13:52:35 24 counsel. You have before you Exhibit N, which has 13:52:38 25 already been entered as full. You also have before you

13:52:40	1		Exhibit O, which has the July 7, 2021 date on it.
13:52:46	2		Exhibit P, which is August 5, 2021. And then, finally,
13:52:51	3		Exhibit Q, which starts with the date September 1st,
13:52:54	4		2021. Do you have all of those, Doctor?
13:53:03	5	A	I do.
13:53:04	6	Q	Doctor, you've already identified Exhibit N as being
13:53:12	7		excerpts from the June 30, 2021 dashboard. Can you
13:53:17	8		please now look at Exhibit O?
13:53:22	9	A	Yes.
13:53:22	10	Q	Doctor, Exhibit O has a series of tabs. Can you please
13:53:29	11		identify what those tabs are for the Court?
13:53:31	12	A	There's one tab that says July 14th. There's another tab
13:53:36	13		that says July 21st, and there's another tab that says
13:53:40	14		July 28th.
13:53:41	15	Q	And, Doctor, looking at these three tabs, can you please
13:53:44	16		identify for the Court what these materials are?
13:53:46	17	A	So the first one is July 7, Page 1 of our Covid data
13:53:53	18		dashboard, and July 14th that tab refers to Page 1 of the
13:53:58	19		July 14th Covid data dashboard.
13:54:02	20		When I look at the tab for July 21st, it refers to
13:54:07	21		the top page of the July 21, 2021 Covid data dashboard,
13:54:15	22		and then the tab marked July 28th refers to the top sheet
13:54:22	23		of the July 28th Covid data dashboard.
13:54:25	24	Q	And behind each of the tabs that you just referenced,
13:54:29	25		Doctor, the 7, 14, 21 and 28, there are additional

13:54:32	1	documents that follow it. Are those documents the same
13:54:35	2	documents that were referenced in the June dashboard?
13:54:37	3	A Yes, they are.
13:54:42	4	MS. WYRZYKOWSKI: Your Honor, I ask that that
13:54:43	5	exhibit be moved in full.
13:54:45	6	MR. PICCIRILLI: I have no objection. Can I
13:54:48	7	just for a point of clarification, so we had L1-13, are
13:54:54	8	those being removed as exhibits?
13:54:56	9	MS. WYRZYKOWSKI: So L1 has already been
13:54:58	10	entered in full. But with respect to L8 and L9, they
13:55:01	11	have not been entered into full. And, your Honor, what
13:55:01	12	we did the office, we pulled the key pages from all of
13:55:06	13	L1 and broke them down into months.
13:55:08	14	So you have June, July, August and September instead
13:55:12	15	of carrying in 22 pages per date, it just has usually 4
13:55:16	16	or 5, 6 pages per date, the same material.
13:55:21	17	MR. PICCIRILLI: Okay. Just to be clear, so
13:55:21	18	other than L1, L2-13 are not
13:55:25	19	MS. WYRZYKOWSKI: They are not in full at this
13:55:26	20	time, and I don't intend putting them in full.
13:55:28	21	MR. PICCIRILLI: Because it's this?
13:55:30	22	MS. WYRZYKOWSKI: Because it's this.
13:55:30	23	MR. PICCIRILLI: No objection.
13:55:31	24	MS. WYRZYKOWSKI: And, Greg, with that said, is
13:55:32	25	there an objection with P or Q? Do you want me to go

through them all? 1 13:55:32 MR. PICCIRILLI: No objection to P and O. 2 13:55:34 3 THE COURT: P and Q are all full. 13:55:36 THE CLERK: Defendant's P and Q are full. 4 13:55:39 (DEFENDANTS' EXHIBITS P AND Q WERE MARKED FULL) 5 13:55:45 Doctor, now we'll focus our attention on the data 6 13:55:45 Q 7 dashboard before you, which you have testified are 13:55:48 excerpts from the various months. All the exhibits are 8 13:55:50 9 entered into full. The months before you are June, July 13:55:53 13:55:56 10 August and September. 13:56:01 11 You testified that in formulating your opinion with 13:56:04 12 respect to masking in the K-12 setting you relied on the 13:56:07 13 data dashboard. I now want to talk about the pages at We're not going to go through every set of pages 13:56:09 14 issue. because they are substantially the same throughout but I 13:56:12 15 want to break it down as to why the information is 13:56:16 16 relevant for you in your position as a public health 13:56:18 17 official. 13:56:20 18 13:56:21 19 So first, Doctor, looking at the front of the page 13:56:28 20 of Exhibit N, if you will. And, again, front page is the same except with different databases on the page. 13:56:33 21 In 13:56:39 22 your position, I'm sorry, you have it, Doctor? 13:56:42 23 I have the front page of Exhibit A. Α 13:56:44 24 Doctor, in your position at the Rhode Island Department 0 13:56:48 25 of Health, the director of the Covid unit, can you please 13:56:51
1 explain why Page 1 of Exhibit N is relevant in helping to
13:56:55
2 formulate your opinion as a medical director at the Rhode
13:56:58
3 Island Department of Health with respect to masking?
13:57:00
4 A Yes.

13:57:00 5 Q Go ahead. Please explain.

6 So it's an overview of cases. If you look at the upper 13:57:02 А 7 left hand corner, it was only ten new positive cases on 13:57:08 that day that reported. Other things that are important 8 13:57:13 9 is June 29 only two people were admitted to a hospital. 13:57:18 13:57:23 10 We only had 19 people in the hospital with Covid, only three in the intensive unit, only four on a ventilator. 13:57:27 11

13:57:3212In the little table below that says, Estimated13:57:3513Prevalence of Infection, one of the things you see is the13:57:4014CDC for new transmissions in blue and it says 9.9. So we13:57:4415were at low transmission. That was important because13:57:4816that's where we wanted to be. We had achieved our goal13:57:5217of low transmission.

13:57:5418Other information on here is about vaccine rates.13:57:5819What was a seven day average doses per day? What's the13:58:0320percent of Rhode Islanders, at least the first dose?13:58:0621What's the percent of the fully vaccinated? And we have13:58:0922some testing data.

13:58:1223Then we have some projected community immunity. In13:58:1524the bottom it just talks about how we're doing with the13:58:1825case investigation and contact tracing. These are the

13:58:22

1 things we look at on this page.

2 Doctor, I want to direct your attention to the next page, 13:58:24 Q 3 we'll still on Exhibit N, but again substantially the 13:58:29 This page is entitled hospitalizations. Can you 4 same. 13:58:33 5 please explain how the information on this page of 13:58:38 6 hospitalization helped to shape the Rhode Island 13:58:42 7 Department of Health's opinion, excuse me, your opinion 13:58:44 with respect to masking in K-12 settings? 8 13:58:47 9 So if you look at the top left-hand corner it says, Α 13:58:50 13:58:54 10 current Covid hospital occupancy, no surge capacity. Ι 13:58:59 11 see there's 7.1 percent Covid hospitalization, which is a 13:59:04 12 very low number. And it goes on to just say, you know, 13:59:07 13 what is the intensive care unit? It's only 10 percent.

13:59:1014So these are very low numbers. The little table13:59:1315below is a picture of the entire pandemic when it comes13:59:1916to certain parameters of people in the hospital, it13:59:2217includes new cases, and below that is the historical13:59:2618Covid hospital occupancy, and then what the projected13:59:2919hospital occupancy will be, those courses of the future.

The chart below that talks about current overall hospital occupancy. It says 81 percent is total, you know, percent utilized. And it really just gets into what type of percentages in the ICU, in June 29th it was 62.6 percent.

13:59:49 25

13:59:32 20

13:59:36 21

13:59:40 22

13:59:43 23

13:59:46 24

And then the graph below just shows similar

13:59:53	1		information in just a different picture format. So this
13:59:57	2		is really just an overview of what's going on in the
13:59:57	3		hospital.
13:59:59	4	Q	And, Doctor, with respect to the language, current
14:00:03	5		overall hospital occupancy, is that solely based in the
14:00:06	6		state?
14:00:06	7	A	Yes, this is based on what's available in Rhode Island.
14:00:10	8	Q	So it's only for Rhode Island hospitals?
14:00:12	9	A	That's right.
14:00:13	10	Q	Doctor, going to the next page entitled, Hospital Beds
14:00:17	11		and PPE?
14:00:22	12	A	Yes.
14:00:22	13	Q	Can you please explain how this document helps to shape
14:00:27	14		your opinion, as a medical professional at the Rhode
14:00:30	15		Island Department of Health, with respect to masking in a
14:00:32	16		K-12 setting?
14:00:33	17	A	So this page has the national Emergency Department
14:00:37	18		Overcrowding Scale. It's a score, and it includes
14:00:42	19		different elements that I've already covered in testimony
14:00:45	20		so I won't read it again.
14:00:47	21	Q	Thank you, Doctor.
14:00:48	22	A	But this score really speaks to what is the seven day
14:00:52	23		average. So if you look at the column to the far right
14:00:56	24		you see each hospital has a score and a color code
14:01:01	25		connected to it. When the score is 181 to 200, that's

Γ

concerning because that means that a particular hospital emergency department and hospital is dangerously overcrowded.

On this particular day only one hospital, the Miriam 4 14:01:15 5 was considered dangerously overcrowded, whereas the other 14:01:20 hospitals were in different stages. Some were considered 6 14:01:25 7 simply overcrowded and some were severely overcrowded. 14:01:29 Only one hospital was actually considered just busy and 8 14:01:32 9 that was Women and Infants Hospital. 14:01:37

1

2

3

14:01:07

14:01:11

14:01:14

14:01:4010The little chart below that talks about personal14:01:4411protective equipment, days on hand available in the14:01:4812warehouse, just shows how we're doing in acquiring and14:01:5313maintaining personal protective equipment for the14:01:5614hospitals and other healthcare settings who need14:01:5815supplies, if we need this. That's generally doing pretty14:02:0016well for the last several months.

14:02:0217QDoctor, turning to the next page.Doctor, can you read14:02:1518the page, the title aloud, please.I don't want to say14:02:1819it wrong, your Honor.

14:02:1820AYes. So this page is entitled Monoclonal Antibody14:02:2221Treatment.

14:02:2322QCan you please explain how this information helps to14:02:2723shape your opinion on masking in K-12 settings?14:02:3124ASo this is the current treatment that's authorized by The14:02:3725Food and Drug Administration offering emergency

authorization for people who have COVID-19, at least we can say for certain eligible patients.

1

2

3

4

5

6

7

8

9

14:02:40

14:02:46

14:02:48

14:02:53

14:02:58

14:03:01

14:03:07

14:03:10

14:03:14

14:03:18 10

In this column you see how many doses we have administered since January 17, 2021, which is 2,480. In the previous weeks there was less than five doses administered, and what I do is look at the trends over time to see if we're treating enough people, and then below it says, you know, what age group of people are getting the monoclonal antibodies, and then what was the eligible reason.

14:03:1911So the chart on the bottom says they have more than14:03:2312one reason or they might have been older than 65 or14:03:2613there's some other indication or simply just underlying14:03:2914conditions.

14:03:3015Finally, the emergency authorization granted by the14:03:3316Food and Drug Administration was, it wasn't intended to14:03:3617be used as an intravenous infusion for everybody that has14:03:4018COVID-19, but it was meant to be used for those who were14:03:4519higher risk.

14:03:4720Part of this what's important is you want to keep14:03:5021people out of the hospital. So we knew if we could14:03:5222increase monoclonal antibody treatments, we would be more14:03:5523likely to keep people out of the hospital, at least for14:03:5924reasons due to Covid. So that's how we used it.14:04:0225When you look at the far left, it says cumulative

doses by drug type since January 17. You see three drugs that are mentioned. There're bamlanivimab, then there's a second called banlanivimab and etesevimab and then there's another one called casirivimab and imdevimab. So these are three different mono --

1

2

3

4

5

6

7

8

9

14:04:05

14:04:08

14:04:12

14:04:17

14:04:21

14:04:24

14:04:26

14:04:30

14:04:34

THE COURT: Can I point out for the stenographer, they're written on Exhibit N, the third page. So she may be able to pick up the spelling. It's pretty hard to figure out.

14:04:3610THE WITNESS: It is hard to figure out. These14:04:3811are three different monoclonal antibodies. Two of them14:04:4212are made by Lily, the other one is made by Regeneron. So14:04:4613we just keep track of how many doses are used by each14:04:4914manufacturer.

14:04:5015The part of why that's important is the top one,14:04:5616bamlanivimab, was shown not to have significant activity14:04:5917against the Delta variant. So we directed healthcare14:05:0318providers around to stop using that alone and just to use14:05:0619the combination product or the other two substances. So14:05:1020that one wasn't used after Delta became dominant in Rhode14:05:1621Island.

14:05:1622QThank you, Doctor. Going to the second to last page, on14:05:2223the June 30th subset Exhibit N, entitled, Cases By Age14:05:2624Group. Can you please explain how the information14:05:3125provided on this sheet helped to shape your opinion as a

public health official in the State of Rhode Island with respect to masking in a K-12 setting?

1

2

7

8

9

14:05:34

14:05:37

14:05:40

14:05:45

14:05:48

14:05:53

14:05:55

14:05:59

14:06:04

14:06:09 10

3 A So this page, which is cases by age group. It just shows
4 for the week, in this case June 20 through June 26, the
5 age group on the left-hand column, and then cases per
6 100,000 per week in the right-hand column.

You see for example in people age 19 through 24, there were 27 cases, 100,00 per week. Whereas you look at ages 5 to 9, there was only 13 cases per 100,000 per week.

14:06:0911So you get an idea of that week in particular, what14:06:1312was the prevalence? In other words, how many cases are14:06:1613we seeing? What I'm looking for with this is is there14:06:2014one particular age group where there's more cases, then I14:06:2515would expect to see, and I'm always particularly looking14:06:2816for, is it in vaccinated age groups or unvaccinated age14:06:3317groups?

14:06:3518In this particular week I really didn't see much14:06:3919prevalence in younger kids, 0 to 9. It wasn't that14:06:4320common. But when you see, it's a little bit higher in14:06:4721the other kids in the older population, but still it's14:06:5022pretty low during this time. Again, this is the end of14:06:5323June.

14:06:5424When you look at the bottom chart it just shows14:06:5725share of cases by age group per week. There's really

three charts here that just show the data, kind of similar ways. The chart on top, which has cases per 100,000 by age group. It takes us from February and brings us all the way through to June.

1

2

3

4

14:07:01

14:07:04

14:07:09

14:07:12

14:07:15

14:07:18

14:07:21

14:07:25

14:07:29

5 This time we have how the trend is going with cases 6 by various ages, and you just look at the overall trend 7 to see what's going down in every age group. By the end of June it's really every age group is seeing a very 8 9 significant decrease. And it's most notable if you 14:07:32 10 compare it back to February for example, you know, you 14:07:35 11 just see, wow, the 19 and 24 age groups had 545 cases per 100,00 per week, you know, that's a lot of cases. 14:07:41 12 But 14:07:43 13 you see it now it's 27 cases per 100,000 per week.

So this is nice because you can kind of see how 14:07:47 14 we're trending with all the different age groups. What I 14:07:51 15 look for too in trends is is there an age group that's 14:07:51 16 14:07:55 17 breaking out, having more cases? Because I might be able to do something differently to address that age group. 14:07:58 18 14:08:00 19 And, Doctor, I'm just going to go to the last sheet, Q 14:08:07 20 which is entitled K-12 in School Age Trends. Can you 14:08:12 21 please explain how this piece from the data dashboard 14:08:18 22 helps you, the Rhode Island Department of Health, 14:08:20 23 formulate an opinion with respect to masking in the 14:08:23 24 K-12 setting? 14:08:24 25 So this is a chart that shows up the cases that are Α Yes.

in age groups of kindergartners through 12th grade and 1 2 looks for trends. In the upper right-hand corner there's 3 a little box that says K-12 cases, most recent per week, public and private schools. You see there's only 14 new 4 5 student cases. Nine of those cases were people who were 6 in-person or in a hybrid style learning environment. 7 Three of those students who were remote. And two, we're still pending data on that. 8

14:08:27

14:08:32

14:08:36

14:08:40

14:08:44

14:08:47

14:08:51

14:08:54

14:08:579When you look at the chart on top this talks about14:09:0010student cases, and it goes back to September of 2020 and14:09:0411brings us all the way through to the end of June and you14:09:0712can see how many cases are in, based on where the student14:09:1113is and what their setting is.

14:09:1314The chart below is about the staff at a school, so14:09:1815this just means not just the teachers but everyone else14:09:2116that works at the school. And you see where the cases14:09:2417are already in school, variants, the likely infectious14:09:2918period, in other words, two days before.

14:09:3119Then there's another group who looks at in school14:09:3420during a likely exposure period, 14 days before, and then14:09:3821were they remote? In other words, were they not in14:09:4222school?

14:09:4323So when you look at data like this what we're trying14:09:4624to sort of discern is not only how many cases are in this14:09:4925age group but where they're getting their cases from to

22

some degree. Although we did that from case 1 14:09:54 2 investigation and contact tracing. A little bit of this 14:09:57 3 too reflects like where are the people working in the 14:10:00 One of them you just see on the top chart, when 4 cases. 14:10:03 5 you look here, the orange bubble just refers to people 14:10:06 6 going remote and not in school. And you see there's a 14:10:10 7 lot of cases in the orange group. That just refers to 14:10:12 the community transmission. 8 14:10:16

9 Now just because someone is in the blue group that 14:10:18 14:10:20 10 they're in school during the infectious period doesn't 14:10:22 11 mean they got Covid in school. In fact that's really not 14:10:26 12 the case. But still community transmission is what 14:10:29 13 drives transmissions in schools. In other words, kids tend to get Covid when they're outside the community as 14:10:32 14 opposed to in schools. So that's some of the data we 14:10:35 15 keep track of what's going on in the schools. 14:10:38 16 14:10:40 17 Thank you, Doctor. Looking at the data dashboard excerpt Q that you have in front of you, in front of you actually, 14:10:46 18 Exhibit N. Is it fair to say, and I don't want to put 14:10:50 19 words in your mouth, but the trend is in the right 14:10:55 20 direction prior to June 30, 2021 data dashboard? 14:10:56 21 14:10:59 22 Oh yeah, we were going pretty well. The only thing I Α was a little concerned about in June was we still saw a 14:11:03 23 14:11:06 24 little bit of emergency department overcrowding, but it 14:11:10 25 was manageable at this point.

14:11:121So that was the only thing that I took note of14:11:152around that time. Otherwise, things were heading in the14:11:173right direction.

Okay, Doctor. I want you to put Exhibit N aside and if 4 14:11:18 Q 5 you could just put it down because we'll have to go back 14:11:23 6 I now want you to look at Exhibit O, which has to it. 14:11:26 7 been marked in full, which covers the month of July. 14:11:30 Okay. Doctor, I want to direct your attention, again, 8 14:11:33 14:11:35 9 there are three tabs. I want to direct your attention to 14:11:38 10 the tab that's marked 7/28. Do you have it, Doctor? 14:11:58 11 Α I do.

14:11:5812QDoctor, I want to direct your attention to the last page14:12:0213of the Exhibit, which is entitled cases by age group. Do14:12:0514you see that?

14:12:06 15 A Yes.

14:12:1016QAnd I want to direct your attention to the top graph of14:12:1517the chart. I'm sorry, not the correct term. Could you14:12:1818please explain, looking at the top chart, what is the14:12:2219status of COVID-19 cases in July of 2021?

14:12:2820ASo when you look at this information here, we get a14:12:3421flavor for the increase in cases. In other words, if you14:12:3722look at the chart, the lined chart on the top which goes14:12:4023from February all through until July, you see the cases14:12:4424were initially pretty high. For example, I mentioned14:12:4725that 19 through 24 group earlier having 547 cases per

100,000 per week back on February 28th, but you really 1 14:12:53 2 see a drop down to a very small number come around the 14:12:57 3 4th of July. But then you see all the age groups 14:13:01 increasing July 11 and July 18. So what you really see 4 14:13:04 5 is the cases were going well but now we're heading in the 14:13:08 wrong direction. When you look at the box inlay it shows 6 14:13:11 7 the number of cases. 14:13:15

14:13:168When I looked at the previous exhibit we're talking14:13:199about cases like 13, 15 or 25 per 100,000 per week.14:13:2310QAnd, Doctor, just to clarify, we're talking, comparing it14:13:2611to Exhibit N?

14:13:2612AYes, comparing it to Exhibit N.Now we look at the cases14:13:3013by age group we're seeing, you know in the 25 to 2914:13:3314group, there's 90 per 100,00 per week, and you see the14:13:3815low, the 5 to 9 year olds at 56 cases per 100,000 a week.

14:13:4116What you really see is in every age group cases are14:13:4517going up over time. That's something we see. The chart14:13:4918below shows a share of cases by age group per week. It's14:13:5419the same data presented just in a bar chart. It's really14:13:5820the preference of who likes to look at charts, but this14:14:0221just shows cases are increasing.

14:14:0322QDoctor, based upon your training, education and14:14:0523experience in your current role in the field of public14:14:1024health, in your current role in the COVID-19 unit, can14:14:1525you please offer an opinion to a reasonable degree of

14:14:181medical certainty as to why cases were increasing in July14:14:212based upon this chart?

We knew from the Rhode Island state health lab that 3 14:14:22 Α Yes. we were seeing Delta variants in the state. Its been 4 14:14:26 5 reported nationally as well. And what I mean what's 14:14:30 6 being reported is we do whole genomic sequencing in our 14.14.34 7 state health lab and a recommended sample of specimens. 14:14:38

8

9

14:14:41

14:14:45

14:14:49 10

14:14:52 11

What that means is if someone had a PCR test and we had the specimen in our lab, we can actually sequence the genome of the virus and identify whether it's the original strain or a different type, like a variant.

14:14:5512What we start seeing after July 4th was, it was the14:14:5913Delta variant. It was concerning to us because we still14:15:0414knew we had a very large population of people in Rhode14:15:0715Island, who either didn't have a vaccine or weren't14:15:1016infected. In other words, we had a large population of14:15:1117people in the state, over 300,000 who were susceptible at14:15:1118that time.

14:15:1519So it was concerning because we saw we were heading14:15:1820in the wrong direction. We clearly weren't done with the14:15:2121pandemic.

14:15:2122QNow, Doctor, I want to skip ahead to Exhibit P, which14:15:2823has been marked in full at this point. And, again, there14:15:3324are multiple caps on the side. Doctor, I want to direct14:15:3725your attention to 8/18 tab, do you see that?

- 14:15:39 1 A I have located the August 18th tab.
- 14:15:412QAnd, Doctor, I want to direct your attention to the page14:15:453entitled, Cases By Age Group.
- 14:15:51 4 A I have the page, Cases By Age Group of August 18.
- 14:15:55 5 Q And, Doctor, can you please identify for the record what
- 14:16:00 6 time period is covered by the top chart or graph?
- 14:16:04 7 A So this is August 1st to August 7.
- 14:16:10 8 Q Where?
- 14:16:11 9 A And it talks about cases per 100,000 per week.
- 14:16:1710QDoctor, hold on for one second. I was on the 8/18 tab,14:16:2011which tab are you on?
- 14:16:22 12 A August 18th.
- 14:16:2413QOn the tab entitled -- I think Greg and I are on the same14:16:3014page here. Cases by Age Group?
- 14:16:32 15 A Yes, I'm right there.
- 14:16:3416QOkay. I see where you're getting the information from.14:16:3817Okay. Go on, Doctor.
- So it illustrates the number of cases per 100,000 per 14:16:41 18 А 14:16:46 19 week, and it does it in multiple formats. What you see 14:16:51 20 is there's a line graph which goes from May, you get there in June 27, when things are pretty low, July 4. 14:16:56 21 14:17:00 22 And then you can see the case numbers trending up for all 14:17:04 23 the age groups, and you really see that on August 1st. When you look over here in the box chart, it shows 14:17:07 24 14:17:11 25 the rate of cases per 100,000 per week, and by way of

example the 25 to 29 year olds were now at 206 cases per 100,000 per week.

When you look at the 5 to 9 years old, we're at 147 cases per 100,000 per week. This is all very important because the Center for Disease Control and Prevention has different definitions of your level of community transmission, based on the number of cases you have 100,000 per week.

9 On the June 30th one I referenced we're at 9.9 cases 14:17:45 14:17:50 10 per 100,000. It was the only week we were at low 14:17:53 11 prevalence. You see now though that we're well over a 14:17:58 12 100 cases per 100,000 per week in every age group. So 14:18:03 13 we're in what's called high prevalence. In other words, high transmission as opposed to other types of 14:18:06 14 transmissions which are moderate or substantial. 14:18:09 15

14:18:1216So it's very concerning at this point because now14:18:1517you see from we were doing pretty well on July 4th, we're14:18:2218consistently heading in the wrong direction in every age14:18:2419group.

14:18:2420QDoctor, I now want to direct your attention to the 8/9,14:18:3321tab of Exhibit P, and I specifically want to direct your14:18:4022attention to the third page entitled, Hospital Beds and14:18:4223PPE?

14:18:51 24 A Yes, I have the page.

1

2

14:17:17

14:17:23

14:17:25

14:17:30

14:17:33

14:17:37

14:17:41

14:17:44

14:18:52 25 Q Doctor, you just testified a few moments ago that Covid

cases were starting to increase as indicated by the chart for August 18. At the same time the Covid cases were increasing, I want to direct your attention now to the hospital beds and PPE status in the State of Rhode Island.

14:19:136And, Doctor, this information was updated, obviously14:19:207to the dashboard. Can you please explain, looking at the14:19:238chart in front of you, knowing the cases are increasing,14:19:279how many hospitals in Rhode Island have NEDOC levels of14:19:3210dangerously overcrowded?

1

2

3

4

5

14:18:56

14:19:00

14:19:03

14:19:05

14:19:09

14:19:3311AYes. So there's ten acute care hospitals listed on the14:19:3812report. So that's all we have in Rhode Island. But four14:19:4213of the hospital are dangerously overcrowded. And there's14:19:4614the Miriam, Kent, you see that for Roger Williams and you14:19:5215see it for Westerly Hospital.

- 14:19:5316QAnd how many hospitals, Doctor, had the NEDOC score of14:20:0117severely overcrowd?
- So when you look at that number, which is a number 14:20:02 18 А between 141 and 180, you see that there's three hospitals 14:20:05 19 14:20:10 20 have fallen to that category of severely overcrowded. 14:20:14 21 It's Rhode Island Hospital and it also includes, Fatima, 14:20:18 22 and it also includes South County Hospital. 14:20:21 23 Doctor, knowing at this point in time, going back Q 14:20:29 24 obviously to the time frame of this chart, that seven

dangerously overcrowded and Covid cases are increasing, 1 14:20:37 as we saw from the prior exhibit. Can you offer an 2 14:20:39 3 opinion to a reasonable degree of medical certainty, as 14:20:44 to what this information, as a whole, meant to you as a 4 14:20:47 5 public official? 14:20:50 6 Yes. What I saw was --Α 14:20:51 7 Hold on, Doctor. Can you offer that opinion? 14:20:53 0 Yes. 8 А 14:20:55 9 Doctor, what is that opinion? 14:20:55 Q 14:20:56 10 So what I saw was we knew at this point the Delta variant Α was dominant. We knew really every case was Delta at 14:21:01 11 14:21:06 12 this point. What I also knew was that our hospitals were 14:21:10 13 very overcrowded and dangerously overcrowded, so it's very concerning, not because it just may not be able to 14:21:13 14 14:21:16 15 take care of Covid patients but you may not be able to take care of anyone. And this becomes a problem not just 14:21:19 16 for hospital capacity, for the well-being of the 14:21:22 17 patients, but not the least of which is the well-being of 14:21:25 18 14:21:27 19 the hospital staff as well. So this is very concerning information to me. 14:21:28 20 Ιt 14:21:32 21 made us really concerned and that's part of what prompted 14:21:35 22 us to urge the government about a new state of emergency. 14:21:39 23 Which goes into a new state of emergency was entered into Q 14:21:43 24 on August 19th, which has already been marked as a full 14:21:48 25 Exhibit.

14:21:49	1		And this information that is before you, was this
14:21:52	2		used to help advise the Governor, with respect to
14:21:56	3		hospitals are dangerously overcrowded or severely
14:21:59	4		overcrowd and Covid increase in cases.
14:22:02	5	А	Yes.
14:22:03	6	Q	And, Doctor, as a practical matter, and I apologize if
14:22:10	7		you said this already. What does it mean to a Rhode
14:22:14	8		Island citizen if a mobile hospital is severely
14:22:17	9		overcrowded or dangerously overcrowded?
14:22:19	10	A	Well, it means they might be waiting a long time for care
14:22:24	11		but also may mean they may not get care. But really what
14:22:29	12		the worse part of it is if a hospital is dangerously
14:22:32	13		overcrowded, they're on diversion. What diversion means
14:22:36	14		is if someone had a big enough problem and they need to
14:22:40	15		be in an ambulance, an ambulance has to call the hospital
14:22:42	16		ahead of time and let them know they're coming.
14:22:45	17		But if a hospital is on diversion, that means the
14:22:50	18		ambulance has to go to a different hospital. So the
14:22:52	19		ambulance may not be going to the nearest hospital. It
14:22:55	20		maybe going to the only hospital that will accept the
14:22:57	21		patient. Hospitals that are on diversion, it creates a
14:23:02	22		threat for everybody who needs ambulance services.
		1	

When you have multiple hospitals on diversion, it really jumps up the entire system because now you have this precious resource, an ambulance, driving the patient

14:23:04 23

14:23:07 24

14:23:10 25

farther than they need to, so it ties up that resource, 1 14:23:14 but then the patient might be getting care at a hospital 2 14:23:18 3 that wasn't appropriate for them. 14:23:20 Thank you, Doctor. Now, Doctor, we specifically focussed 4 14:23:22 Q 5 on several tabs when we just, prior to your last round of 14:23:26 6 testimony. With that said, Exhibit N, all the tabs 14:23:31 7 there's only one. Exhibit O, all the tabs and Exhibit P, 14:23:37 all of the tabs, was all of that information used by you 8 14:23:41 9 in your role as the Director of the COVID-19 unit in 14:23:45 14:23:48 10 helping you to advise the Governor? 14:23:50 11 А Yes. Doctor, we're going back now, we're going back now to the 14:23:50 12 Q 14:23:57 13 Executive Order. Do you still have that in front of 14:24:01 14 vou? I have the Executive Order. 14:24:09 15 Α Doctor, I want to direct your attention, please, to 14:24:11 16 Q Exhibit 4, Page 3, third paragraph. Can you please read 14:24:14 17 this aloud? 14:24:18 18 "Whereas this increase in prevalence of the Delta variant 14:24:19 19 А 14:24:22 20 poses a significant and eminent risk to Rhode Islanders of increased symptomatic disease, hospitalization and 14:24:26 21 14:24:29 22 death." 14:24:30 23 Doctor, what information did you utilize in advising the Q 14:24:36 24 Governor to help formulate the statement of increase in 14:24:41 25 symptomatic disease, hospitalization and death?

1 Well, a lot of the data in the Covid data dashboard that А 14:24:42 2 we just talked about was data that we used. I also used 14:24:45 3 the knowledge I had from being in the pandemic from the 14:24:49 very beginning. I also use the knowledge I have that 4 14:24:52 5 there's still a large percentage of Rhode Island who are 14:24:55 6 susceptible, either have or haven't had the vaccine or 14:24:58 7 hadn't been infected. 14:25:00

14:25:018QAnd, Doctor, based upon your training, education and14:25:049experience, do you have an opinion to a reasonable degree14:25:0610of medical certainty, as to why there was an eminent risk14:25:0911to Rhode Islanders at this time?

14:25:11 12 A Yes.

14:25:11 13 Q And, Doctor, what is that opinion?

14:25:1314AWell, we had so much Delta variants, and it was rapidly14:25:1715contagious and spreading from one person to the other.14:25:2116We have a large population of people that weren't immune14:25:2417by either vaccination or infection.

We also had an overwhelmed hospital system and when 14:25:26 18 you put all of that together, I call that an eminent risk 14:25:30 19 14:25:33 20 to Rhode Islanders, and that's my conclusion there. Doctor, why is it an eminent risk to Rhode Islanders? 14:25:36 21 0 14:25:39 22 Because people are susceptible to get the disease and the Α 14:25:43 23 disease has a mortality rate. The disease causes 14:25:47 24 morbidity where they may end up in the hospital. 14:25:48 25 And since the hospital system is overwhelmed, people

may not be able to get the care they need at our 1 14:25:51 2 emergency departments or hospitals. That's why. 14:25:55 3 Okay. Doctor, I'm going to take, well, I'm not. 14:25:58 Q I'm going to ask the sheriff to take back all of the Exhibits 4 14:26:01 5 in front of you except for Exhibit Q. 14:26:05 6 And I'm also going to approach Melissa and ask if I 14:26:08 7 can have Exhibit H, which is the Rhode Island Department 14:26:13 of Health regulation that was done in September. 8 14:26:17 9 Doctor, you have before you Exhibit H. You do not 14:27:02 14:27:06 10 because I didn't give it to you. Sorry. 14:27:20 11 Okay, Doctor, we just spent the last portion of your 14:27:23 12 testimony talking about the information that you used to help advise the Governor, with respect to two executive 14:27:25 13 orders issued on August 19, 2021. 14:27:28 14 I now want to direct your attention to the Rhode 14:27:35 15 Island Department of Health regulation that was issued on 14:27:37 16 September 23, 2021, which is premarked, excuse me, which 14:27:40 17 14:27:47 18 is entered in full as Exhibit H. Do you have that? 14:27:49 19 I have it. Α 14:27:50 20 You should also have before you Exhibit Q. Do you have 0 that? 14:27:54 21 14:27:54 22 I have that too. Α

14:27:5623QAnd, Doctor, just to clarify for the Court, Exhibit Q has14:28:0024a series of tabs. Can you please identify the tabs that14:28:0225are on Exhibit Q?

14:28:04	1	A	There's a tab for September 2, September 9, September 16,
14:28:12	2		September 21, September 23 and September 30.
14:28:17	3	Q	Thank you, Doctor. Focusing now with respect to the
14:28:27	4		Department of Health regulation that was issued on
14:28:30	5		September 23, 2001 (sic). Were you involved in creating
14:28:42	6		this regulation, Exhibit H?
14:28:44	7	A	Yes.
14:28:45	8	Q	What was your role in creating Exhibit H?
14:28:49	9	A	I'm the medical director, so I did medical guidance.
14:28:52	10	Q	And providing medical guidance with respect to Exhibit H,
14:29:01	11		did you continue to rely on the data dashboard that we
14:29:05	12		had previously discussed?
14:29:06	13	A	Yes, this was some of the information that we relied on.
14:29:09	14	Q	Looking at the data dashboard
14:29:15	15		MS. WYRZYKOWSKI: And Melissa, I'm sorry. I
14:29:17	16		made a mistake. I need August back.
14:29:45	17	Q	Doctor, looking at Exhibit P, which is the data dashboard
14:29:49	18		excerpts from August. I want to draw your attention to
14:29:53	19		August 26th. And, Doctor, would you agree with me that
14:29:59	20		August 26th is after the declaration that was issued by
14:30:03	21		the Governor?
14:30:03	22	A	Yes.
14:30:08	23	Q	I want to draw your attention with respect to the August
14:30:11	24		26th information in Exhibit P. I want to draw your
14:30:14	25		attention to the page entitled, Hospital Beds and PPE.

- 14:30:21 2 A I have the page.
- 14:30:243QThank you, Doctor. Doctor, the information that is14:30:304before you, what is the applicable date with respect to14:30:355this chart?
- 14:30:366ASo it said hospital date, hospital bed was updated August14:30:41722nd. The NEDOC score is updated August 24. The PPE14:30:468data was updated August 26.
- 14:30:489QSo at this point in time we are less than a week after14:30:5110the Executive Order; correct?
- 14:30:53 11 A Yes.
- 14:30:5312QLooking at the NEDC level in this Exhibit, can you please14:30:5713explain to the Court the status of Rhode Island hospitals14:31:0114on or about August 24, 2021?
- 14:31:0515ASo when you look at the numbers between 180 and 200, six14:31:1116of the ten acute care hospitals are dangerously14:31:1417overcrowded. You see that two of the hospitals are14:31:1718severely overcrowd. There's only one hospital that is14:31:2119considered extremely busy, but not overcrowded, that14:31:2520appears to be Newport. And then Women and Infants was14:31:2821just considered not busy.
- 14:31:3022QSo correct me if I'm wrong, does that mean that eight14:31:4123Rhode Island hospitals were either labeled with a NEDOC14:31:4524score of severely overcrowded or dangerously overcrowded?14:31:4825AYes. Eight out of ten hospitals were either severely
1 14:31:51

overcrowded or dangerously overcrowded.

2 Now, Doctor, I'm going to direct your attention to the 14:31:52 Q 3 last page of this Exhibit, it has the -- entitled, Cases 14:31:54 By Age Group. Do you see that, Doctor? 4 14:31:59

5 Yes, I have it. 14:32:07 А

6 So at this point in time, knowing that eight of our 14:32:08 Q 7 hospitals in Rhode Island are severely overcrowded or 14:32:13 dangerously overcrowded, what is the status of COVID-19 8 14:32:15 9 cases by age group according to this chart? 14:32:19 14:32:22 10 Well, we're still in high prevalence, according to the А 14:32:25 11 center for Disease Control Standard For Community 14:32:28 12 Transmission. What you really see is the age groups, 14:32:32 13 which are represented in here, are still largely increasing. The 30 to 39 years old group for example now 14:32:35 14 has 262 cases per 100,000 per week, and the lowest number 14:32:39 15 of 10 to 14 year olds at 208 cases per 100,000 per week. 14:32:45 16

14:32:51 17 When you look at the trend over time though, when you go back to July 4th when things were really quite 14:32:53 18 14:32:57 19 good, you actually see as you move to the right, it just 14:33:00 20 keeps getting higher and higher. So we're clearly still heading in the wrong direction. 14:33:04 21

14:33:05 22 Now, Doctor, at this point in time we have eight Q 14:33:08 23 hospitals that are severely overcrowded or dangerously 14:33:11 24 overcrowded. We have a high prevalence of transmission 14:33:15 25 in the State of Rhode Island. Do you know whether or not

14:33:17	1		schools are open on August 25th?
14:33:20	2	А	I don't know for sure. I don't think they were open but
14:33:24	3		I can't say 100 percent sure.
14:33:26	4	Q	So you think the schools were open on August 25th, it
14:33:30	5		would have been the beginning of the school year?
14:33:32	6		MR. PICCIRILLI: Objection. That's
14:33:33	7		speculative. Just for the record, it's pretty clear
14:33:36	8		schools didn't open until after Labor Day this year. I
14:33:39	9		think it was September 8th.
14:33:39	10		THE COURT: I'm not sure about when they opened
14:33:41	11		but the objection is sustained.
14:33:43	12	Q	Doctor, now we're going to fast forward to September 2nd,
14:33:47	13		Exhibit Q.
14:33:59	14	A	Okay. I've got the Exhibit.
14:34:00	15	Q	This Exhibit has six tabs. I would like to direct your
14:34:08	16		attention to the 9/16 tab. And specifically with respect
14:34:21	17		to the $9/16$ tab, I want to direct your attention to the
14:34:25	18		hospital details page. Are you there, Doctor?
14:34:35	19	A	I am.
14:34:35	20	Q	Doctor, can you please explain, looking at the sheet that
14:34:43	21		is in front of you of the hospital details, how many
14:34:46	22		hospitals in Rhode Island are at a severely overcrowded
14:34:50	23		or dangerously overcrowded level on or about September 16
14:34:54	24		of 2021?
14:34:56	25	A	So seven hospitals are dangerously overcrowded and one

hospital is overcrowded. The other two are either not
busy or just extremely busy.

All right, Doctor, now drawing your attention to the 3 14:35:13 Q page, same date, for the page entitled, Cases By Age 4 14:35:21 5 Group. Looking at the chart that's in front of you, can 14:35:25 6 you please explain what status of cases by age group on 14:35:41 7 or about September 5th? 14:35:47

14:35:498ASo when you see for the week of September 5 through14:35:539September 11 is we're still in high transmission,14:35:5610according to the Center for Disease Control Prevention.14:36:0011But the age group of cases have shifted. In the 5 to 914:36:0512year old group we're not 303 cases per 100,000 per week.

14:36:0913When you go down to the lowest prevalence of the14:36:131415 to 18 year olds, we're at 224 cases per 100,000 per14:36:1715week. When you look to the chart to the right, the14:36:2016overall trend is overall cases are generally increasing.14:36:2417There's one age group where cases seem to be going down,14:36:2818but all the other ones are either the same or going up,14:36:3119heading in the wrong direction.

14:36:3220QAnd, Doctor, based upon your training, education and14:36:3521experience, and your role at the Rhode Island Department14:36:3722of Health, can you offer an opinion to a reasonable14:36:4023degree of medical certainty what the information means14:36:4524when you are looking at cases by age group and hospital14:36:5125details?

A So it was clear that the hospital system was overwhelmed. It's also clear that the cases we have for Delta are increasing, Delta Covid that is. And so what you see is the pandemic is getting worse in Rhode Island and the health care system is really buckling at the seams and are they going to handle the capacity.

7 So it's a very dangerous time in the State. That is 14:37:12 when we are actively exploring opening up the alternate 8 14:37:17 9 hospital site in Cranston, and trying to recruit staff to 14:37:19 14:37:22 10 operate that staff and site and get that working. 14:37:25 11 Doctor, I only focussed on two data dashboards, with 0 14:37:31 12 respect to the September 23 regulation passed by the 14:37:33 13 Rhode Island Department of Health as Exhibit H. Did you use all of the data dashboard to help shape your opinion 14:37:37 14 on why the Rhode Island Department of Health issued a 14:37:41 15 regulation regarding mask mandates in the K-12 setting? 14:37:46 16 We used all the dashboard. We used other information 14:37:50 17 Α 14:37:52 18 too. Doctor, I want to talk about the other information that 14:37:54 19 Q 14:37:57 20 you used. If we could take those Exhibits away from him, 14:38:01 21 please. Thank you.

14:38:28 22 A Do you want Exhibit H?

1

2

3

4

5

6

14:36:52

14:36:56

14:37:00

14:37:03

14:37:06

14:37:10

14:38:31 23 Q Yeah, I would, please.

14:38:4024MR. WYRZYKOWSKI: Melissa, can I please have14:38:4225Exhibit B. This is not in full by the way.

14:38:48	1		MR. PICCIRILLI: B as in boy?
14:38:50	2		MS. WYRZYKOWSKI: B as in boy. Melissa, just
14:39:07	3		I'm also going to need R, S and T.
14:39:29	4	Q	MS. WYRZYKOWSKI: Okay, Doctor, you testified that in
14:40:30	5		your role at Rhode Island Department of Health you relied
14:40:34	6		on the data dashboard. You also testified that you
14:40:36	7		relied on other material to help formulate your opinion.
14:40:39	8		And in front of you is a document that has been marked as
14:40:42	9		Exhibit B. It is entitled Science Brief. Do you see
14:40:46	10		that, Doctor?
14:40:47	11	A	Yes.
14:40:47	12	Q	And can you the title is Science Brief, Community Use
14:40:57	13		of Cloth Masks to Control the Spread of SARS-CoV-2?
14:41:02	14	A	Yes, that is correct.
14:41:03	15	Q	You have previously testified that you relied on this
14:41:05	16		document in helping to formulate your opinion with
14:41:09	17		respect to masking. Do you remember that testimony?
14:41:10	18	A	Yes, I do.
14:41:11	19	Q	Doctor, can you please provide some testimony with
14:41:15	20		respect to, first, what's a Science Brief?
14:41:17	21	A	So it's a review article published by the Center for
14:41:22	22		Disease Control and Prevention, and what we have here is
14:41:24	23		they looked at 65 different articles published in
14:41:28	24		scientific journals, and they formulated their position
14:41:33	25		from the Center for Disease Control and Prevention on

14:41:36
1 what their recommendation is and their evidence of what
14:41:40
2 community use of cloth masks would be able to control the
14:41:44
3 spread of SARS-CoV-2.

14:41:454QDoctor, how did you get this document, the Science Brief?14:41:495AIt's available to anyone in the public via the Internet.14:41:546I happen to be on the CDC mailing list, so these things14:41:587are simply e-mailed to me.

- 14:42:00 8 Q Doctor, why is the Science Brief relevant to you in your 14:42:03 9 current position?
- 14:42:03 10 Well, as a Medical Director it's convenient for me to Α 14:42:09 11 know what the position is for the Center for Disease 14:42:12 12 Control and Prevention, but also I can't read every 14:42:13 13 single article out there. But the fact that they've actually reviewed every one and put the case together as 14:42:17 14 14:42:21 15 it were, it's very helpful to me. Then I can read it 14:42:24 16 quickly and makeup my own mind whether or not I agree with their findings or recommendations or not. 14:42:26 17
- 14:42:2818QAnd, Doctor, you previously testified that you relied on14:42:3219this information provided in this document?
- 14:42:3320AYes. I found the document to be very informative and14:42:3821persuasive.

14:42:3922MS. WYRZYKOWSKI: Your Honor, I ask that this14:42:4123Exhibit be moved in full.

14:42:42 24

14:42:45 25

THE COURT: Without objection, B is full.

(DEFENDANTS' EXHIBIT B WAS MARKED FULL)

1 Okay, Doctor, looking at Exhibit B, the Science Brief, Q 14:42:45 2 community use of cloth mask. Specifically, what 14:42:49 3 information in this CDC produced literature was relevant 14:42:52 to you in helping to formulate your opinion regarding the 4 14:42:56 5 use of masks in K-12 settings? 14:42:59

14:43:016ASo what the CDC does is breakdown the role of masks, and14:43:067they quote studies to that, but they talk about how14:43:098effective is source control? Source control means that14:43:129when I wear a mask you are protected from me14:43:1710unintentionally spreading Covid.

14:43:1811So they reference several articles under source14:43:2112control, how masks actually block viruses being expelled14:43:2613from us. That's part of what I found persuasive.

The next section talks about what's called 14:43:29 14 filtration for wearer protection. In other words, one of 14:43:31 15 14:43:36 16 the things that they were able to do is find research and scientific articles that show that when you wear masks, 14:43:40 17 not only does it provide source control, but it gives a 14:43:43 18 14:43:47 19 measure of protection to the person who wears it. So, 14:43:50 20 therefore, I'm protected from people around me who might 14:43:53 21 be unintentionally spreading the SARS-CoV-2 virus.

> Then they go into whether, a rather lengthy section, where they looked at human studies. They looked at real world effectiveness of community masking, and they have given through observational and through the amount of the

14:43:57 22

14:44:00 23

14:44:04 24

14:44:08 25

studies, but as they go through all these studies they actually site this, quite a few studies to show that these masks are effective.

1

2

3

4

5

6

7

8

9

14:44:11

14:44:14

14:44:17

14:44:18

14:44:21

14:44:23

14:44:26

14:44:29

14:44:32

14:44:35 10

14:44:38 11

14:44:41 12

14:44:43 13

14:44:45 14

14:44:50 15

14:44:52 16

14:44:56 17

14:44:58 18

14:45:02 19

14:45:07 20

14:45:07 21

But then they give another section where they looked at several articles to see whether there's adverse health affects of mask wearing. And these studies they quoted look at different populations of people. One population of people they looked at was children under two and children who were older, up to 12 years of age, and they found there weren't problems with getting oxygen or exchanging carbon dioxide.

They also looked at populations of healthcare workers. They looked at populations of adults who have chronic obstructive pulmonary disease. And what we're able to show is that they really weren't seeing problems with people wearing masks. In other words, they weren't seeing adverse health effects of people wearing masks.

So they formed their conclusion, which is that adopting the universal masking can help avert the spread of COVID-19 and some of the consequences that happen from that.

14:45:1022So I found it a very persuasive document. I thought14:45:1323it was very informative, well-referenced and14:45:1624well-researched and I thought it was very helpful.14:45:1825QYou covered a lot there.So let's just try to back track

14:45:24	1		for a second. In referencing the Science Brief of cloth
14:45:27	2		masking, you mentioned the term source control. Do you
14:45:29	3		recall that?
14:45:30	4	А	I do.
14:45:30	5	Q	Can you please explain what source control means and how
14:45:37	6		source control is effective. First, let's start with
14:45:41	7		what does source control mean?
14:45:42	8	A	The source control refers to me or you. All of us are
14:45:46	9		potential sources, since the virus, SARS-CoV-2, spread
14:45:52	10		through people whether they know it or not. If you
14:45:55	11		spread asymptomatically, in other words, people who don't
14:45:58	12		have any symptoms, they feel just fine, could be
14:45:58	13		spreading.
14:46:03	14		One of the main reasons we wear a mask is for source
14:46:06	15		control. In other words, when I'm exhaling, my
14:46:08	16		respiratory droplets are being trapped by the mask and
14:46:12	17		aren't going out in the word to people who are right next
14:46:15	18		to me.
14:46:16	19		So that's what source control is. So when I wear a
14:46:18	20		mask, like I'm wearing right now, is to protect the
14:46:22	21		others who might be in close proximity to me.
14:46:23	22	Q	Doctor, you also mentioned the term filtration; is that
14:46:26	23		accurate?
14:46:26	24	А	I did.
14:46:27	25	Q	And could you please explain what filtration is?

So there's a section here entitled Filtration, for wearer А protection. There's a recognition that when people where a mask, not only is it effective at preventing our own respiratory droplets, enviro particles from coming out of ourselves, but it protects us from enviro particles coming through the mask. So that's a filtration affect.

7 So in other words, when I'm out walking in public, if I happen to be in close proximity to another 8 9 individual and they weren't wearing a mask, I would still 14:47:03 10 have a measure of protection, and that's what filtration 14:47:06 11 protection refers to.

14:47:07 12 So in other words, this mask serves multiple 14:47:10 13 It protect others from myself but also purposes. protects me from other people as well. 14:47:15 14

14:47:17 15 Doctor, with respect to the filtration aspect of how Q 14:47:20 16 masking helps to protect the public, you had indicated 14:47:25 17 that it prevented particles from getting into your mask. Do you recall that? 14:47:28 18

14:47:28 19 I do. Α

1

2

3

4

5

6

14:46:30

14:46:34

14:46:38

14:46:43

14:46:47

14:46:49

14:46:53

14:46:56

14:46:59

14:47:29 20 Do you have an opinion to a reasonable degree of medical 0 14:47:32 21 certainty, based upon your training, education and 14:47:34 22 experience, as to whether or not the filtration process 14:47:38 23 prevents oxygen from getting into your mask? 14:47:40 24 I have an opinion about that. Α 14:47:42 25 Doctor, what is your opinion with respect to that? Q

14:47:441AThese masks don't prevent oxygen from getting into your14:47:482body?

14:47:48 3 Q Doctor, how do you know that?

14:47:504AWell, its actually been studied, and if you go into the14:47:555other parts of the paper, it talks about the adverse14:47:596health affects.

7 But one of the things we also know is just my own 14:47:59 training and experience for the practice of medicine. 8 14:48:03 9 Surgeons have been using masks in operating rooms since 14:48:07 They've been widely available for over 100 years 14:48:10 10 1910. 14:48:13 11 ago, so we know that surgeons use these things to operate 14:48:16 12 on people for very lengthy periods of time. We've been 14:48:19 13 using these in healthcare for over a century.

14:48:2214So, not only do we trust the literature that cited14:48:2515these adverse health effects of mask wearing, I also14:48:2916trust my own experience, and just the knowledge of my own14:48:3217profession that we've been using these in common every14:48:3418day practice.

14:48:3619THE COURT: But have you used them in every day14:48:3820practice for a period of six or seven hours straight?

14:48:4121THE WITNESS: So people do use these in every14:48:4322day practice, surgeons in particular have prolonged14:48:4623operations and they do wear them for long periods of14:48:4924time. Some of the studies looked at people wearing these14:48:5225for long periods of time as well.

THE COURT: So are these studies, because I didn't find any in the ones that you're looking at.

1

2

3

4

5

6

7

8

9

14:48:54

14:48:56

14:48:58

14:49:00

14:49:03

14:49:07

14:49:09

14:49:12

14:49:15

14:49:18 10

THE WITNESS: So I have to break down into each individual study, but they go into various lengths of time when they were based in various ages of people here.

THE COURT: I was looking at the chart on the bottom, the hairdressers, for example, I thought they said they were wearing them 15 minutes each. I didn't see any of the children but perhaps I'm wrong. If you want to point me in the right --

14:49:1911THE WITNESS: Well, the one is Theodore14:49:2212Roosevelt, that's another one where they were wearing14:49:2213them for long periods of time.

14:49:2414And these studies in particular are actually showing14:49:2615their effectiveness. In other words, if you look at the14:49:2916paragraph above, where it says, Adverse Health Effects of14:49:3217Mask Wearing, that's where I would look. There's no14:49:3618chart for that. I read the studies there.

14:49:3919But I guess I just rely back on common sense. We've14:49:4220been wearing these for over a century. We do them for a14:49:4721long period of time.

14:49:4722THE COURT: But where it talks about no14:49:4923adverse, no change in oxygen. It begins that sentence by14:49:5324saying: Studies of healthy hospital workers, older adults14:49:5925and adults with COPD reported no change in oxygen or

carbon dioxide. Those weren't really concerned with the 1 14:50:04 2 masking order, right? 14:50:07 3 THE WITNESS: Well, these are groups that were 14:50:09 studied and they're studied for a long period of time. 4 14:50:12 5 Although I looked at every one of the studies, I don't 14:50:15 remember exactly how long they were studied for. But it 6 14:50:17 7 was for a prolonged duration of time and they're 14:50:19 published in the peer-reviewed journals, so I think they 8 14:50:22 9 have scientific and medical weight. 14:50:26 14:50:27 10 THE COURT: Okay. Thank you. 14:50:28 11 0 Doctor, based upon the questioning that just happened, I 14:50:33 12 want to direct your attention to Exhibit T, we're just 14:50:39 13 going to skip ahead based upon the Judge's questions. Т as in Thomas. 14:50:43 14 14:50:43 15 MR. PICCIRILLI: Do you have a copy? MS. WYRZYKOWSKI: Well, you did but it's not 14:50:43 16 marked. 14:50:43 17 14:50:49 18 THE COURT: I'm sorry, Counsel, can I take a 14:50:51 19 break now so we can add some light in here. 14:50:51 20 Sure. Of course, your Honor. MS. WYRZYKOWSKI: We'll be back in a few minutes. 14:50:54 21 THE COURT: 14:50:54 22 THE SHERIFF: All rise. 14:51:32 23 (Break taken). 14:51:32 24 (Back on the record). 15:07:27 25 I'd like to remind the witness that THE CLERK:

49

15:07:29	1		having been previously sworn in you are still under oath.
15:07:32	2		THE WITNESS: Thank you.
15:07:32	3		MS. WYRZYKOWSKI: Is it at all possible to have
15:07:32	4		the last question read back?
15:07:32	5		THE COURT: Sure.
15:07:32	6		(Record read)
15:08:33	7	Q	Doctor, you have before you what has been premarked as
15:08:36	8		Defendants' Exhibit T. Do you see that?
15:08:38	9	A	I do.
15:08:41	10		MS. WYRZYKOWSKI: Melissa, does the Judge have
15:08:42	11		a copy?
15:08:44	12		THE CLERK: No.
15:08:44	13		(Documents given to the Clerk)
15:08:46	14		THE CLERK: Thank you.
15:08:47	15	Q	MS. WYRZYKOWSKI: So we had just talked about masking,
15:08:58	16		source control. I'm directing your attention to
15:09:02	17		Exhibit T, entitled "Assessment of Respiratory Function
15:09:06	18		in Young Children Wearing a Face Mask During the Covid-19
15:09:09	19		Pandemic." Is that what's before you, Doctor?
15:09:11	20	A	Yes.
15:09:11	21	Q .	And, Doctor, I believe this article published on March 2,
15:09:17	22		2021?
15:09:17	23	A	Yes.
15:09:18	24	Q	Doctor, was this document, you had testified earlier that
15:09:21	25		you used the data dashboard and various articles to help

15:09:26	1		formulate your opinions with respect to the executive
15:09:29	2		order and the Rhode Island Department of Health's
15:09:31	3		regulation. Was this document one of the documents that
15:09:33	4		was utilized?
15:09:34	5	A	This document was referenced in the CDC Science Brief,
15:09:39	6		and so it's one of the references in the section on
15:09:41	7		adverse health effects in children that the CDC used.
15:09:45	8	Q	And when you talk about CDC Science Brief, Doctor, are
15:09:48	9		you talking about Exhibit B that we were just testifying
15:09:51	10		about?
15:09:51	11	A	Yes, I'm talking about Exhibit B.
15:09:53	12	Q	So, Doctor, looking at Exhibit T first I ask, do you
15:09:56	13		recognize Exhibit T?
15:09:58	14	A	I do.
15:09:59	15	Q	How do you recognize it, Doctor?
15:10:00	16	A	It's an article that I read.
15:10:02	17	Q	And, Doctor, did you read this article in the ordinary
15:10:05	18		course of your business at the Rhode Island Department of
15:10:07	19		Health?
15:10:07	20	A	I did.
15:10:08	21		MS. WYRZYKOWSKI: Your Honor, I ask that
15:10:09	22		Exhibit T be moved in full.
15:10:12	23		MR. PICCIRILLI: No objection if he relied on
15:10:16	24		it.
15:10:16	25		THE COURT: T is full.
		1	

15:10:18	1	THE CLERK: Exhibit T is full. I'm sorry,
15:10:21	2	Defendants' T full.
15:10:22	3	(DEFENDANTS' EXHIBIT T WAS MARKED FULL)
15:10:22	4	Q All right, your Honor. Excuse me, all right
15:10:26	5	Dr. McDonald, you had indicated that Exhibit T was
15:10:30	6	referenced in Exhibit B; is that accurate?
15:10:32	7	A Yes.
15:10:33	8	Q Looking solely now at Exhibit T: Assessing the
15:10:38	9	Respiratory Function in Infants and Young Children. Can
15:10:41	10	you please explain what information in Exhibit T you
15:10:45	11	relied upon in helping to formulate your opinion with
15:10:48	12	respect to the executive order and the Rhode Island
15:10:50	13	Department of Health regulation?
15:10:52	14	A So it's an article published in the Journal for the
15:10:55	15	American Medical Association, and so what the office is
15:11:00	16	trying to answer is, the question they had was face masks
15:11:04	17	had been recommended, but they wanted to see if there's
15:11:07	18	any adverse affect on children, where there's a problem
15:11:10	19	with them inhaling oxygen or any respiratory distress,
15:11:15	20	that was the big question.
15:11:16	21	So they looked at 47 infants and young children and
15:11:21	22	they had them wear a surgical mask for half an hour, and
15:11:25	23	they noticed there weren't any adverse effects. So they
15:11:29	24	concluded that the use of surgical masks among children
15:11:33	25	would be an effective strategy, especially when schools

15:11:39 1 were opening.

15:11:402So it's a decent study. They looked at some15:11:433children under the age of two and kids up to the age of15:11:46412, and they looked at data from May through June of15:11:4952020.

- 15:11:506QDoctor, you had just testified that face masks were15:11:537recommend. Who are you referencing when you say that15:11:568face masks were recommended for children?
- 15:11:589AThey were recommended by the Center for Disease Control15:12:0110and Prevention, and also by the American Academy of15:12:0411Pediatrics.
- 15:12:0512QIf you know, as you stand here today, does the Center for15:12:0913Disease Control and the American Academy of Pediatrics15:12:1214recommend mask wearing in the K-12 setting?
- 15:12:14 15 A Yes, they still recommend that.
- 15:12:1516QDoctor, based upon your training, education and15:12:2217experience, and your role as a public health official,15:12:2618can you offer an opinion to a reasonable degree of15:12:2819medical certainty as to whether or not mask wearing15:12:3320effects children and adult differently or the same?
- 15:12:37 21 A I can offer an opinion.
- 15:12:39 22 Q Doctor, what is that opinion?
- 15:12:4023AI see no reason why they would be different in adults and15:12:4424children.
- 15:12:45 25 Q Doctor, what information do you use to formulate that

opinion?

1

15:12:47

15:13:17 11

15:13:23 12

15.13.27 13

15:13:29 14

15.13.33 15

15:13:36 16

2 Well, I base it on a lot of information and documents, А 15:12:48 the CDC Science Brief, but also the studies that they 3 15:12:53 In particular, the adverse health effects. 4 referenced. 15:12:54 5 I actually went into the Science Brief, and there's a 15:12:58 section entitled, Adverse Health Effects. So I actually 15:12:59 6 7 read every study that they referenced. 15:13:04

15:13:078I don't usually read every study in that NPR article15:13:119but I did in this one because I wanted to see where they15:13:1510were drawing the conclusions from.

So it actually references 57 through 63, and they look at these studies, they look at different groups of people. They look at children. They look at people with lung disease, look at people who normally have healthy lung lungs, healthcare workers. They look at people who are exercising, and they didn't see a change in anyone.

15:13:3917So I think their conclusion has merit and I found it15:13:4318persuasive.

15:13:4319QDoctor, just to clarify for the record, you had15:13:4620referenced 57 to 63 in the section entitled, Adverse15:13:5021Health Effects of Mask Wearing. Is that from Exhibit B?15:13:5322AYes.

15:13:5423QThank you, Doctor. Doctor, based upon your training,15:13:5724education and experience, and your role as a public15:14:0025health official, can you offer an opinion to a degree of

1		medical certainty as to whether or not the observational
2		studies that have been done with adults concerning
3		masking, would have a different effect with children in
4		masking?
5	А	I can offer an opinion.
6	Q	Doctor, what is that opinion?
7	A	I don't think there would be a difference.
8	Q	Doctor, why do you say there wouldn't be difference?
9	A	When you look at all the studies in particular, they
10		looked at children and adults, and they're different
11		authors with the same question.
12		So when you look at eight different studies, looking
13		at different populations, and they all find it
14		independently that there aren't adverse effects, I find
15		that persuasive.
16	Q	Thank you, Doctor. I'm now going to go to Exhibit R,
17		which is another Science Brief.
18		MS. WYRZYKOWSKI: I'm just trying to find a
19		copy for the Court. Melissa, this is for the Court.
20		(Document given to the Court)
21	Q	Doctor, I'm handing you what has been premarked as
22		Defendants' Exhibit R, a Science Brief: Transmission of
23		SARS-CoV-2 in K-12 Schools and Early Care and Education
24		Programs. Do you see that, Doctor?
25	A	I do.
	1 2 3 4 5 6 7 8 9 10 11 12 13 14 15 16 17 18 19 20 21 22 23 24 25	1 1 2 3 3 4 5 A 6 Q 7 A 9 A 10 A 10 A 11 A 12 A 13 A 14 A 15 Q 13 A 14 A 15 Q 16 Q 17 A 18 Q 17 A 18 Q 17 A 18 Q 17 A 18 Q 19 A 19 A 19 A 10 A 12 A 12 A 13 A 14 A 15 Q 12 A 14 A 15 Q 12 A 12 A 13 A 14 A 15 Q 12 A 14 A 15 Q 12 A 14 A 15 Q 16 Q 17 A 18 A 19 Q 21 Q 21 Q 21 Q 22 A 23 A

15:16:12	1	Q	Doctor, what is this document?
15:16:14	2	A	It's a review article published by the Center For Disease
15:16:17	3		Control and Prevention.
15:16:18	4	Q	And, Doctor, do you recognize this document?
15:16:20	5	A	I do.
15:16:21	6	Q	How do you recognize this document?
15:16:23	7	A	It's a document that I used as part of formulating my
15:16:26	8		decision and opinions regarding whether masks would be a
15:16:29	9		good idea for kids in school.
15:16:31	10	Q	Thank you, Doctor. And when you say forming your opinion
15:16:34	11		as to whether mask would be good in school, are you
15 : 16 : 37	12		referencing the executive orders and the Rhode Island
15:16:39	13		Department of Health regulation?
15:16:40	14	A	I am.
15:16:41	15		MR. WYRZYKOWSKI: Your Honor, I ask that
15:16:42	16		Exhibit R be moved in full.
15:16:44	17		MR. PICCIRILLI: No objection.
15:16:45	18		THE COURT: R is full.
15:16:46	19		(DEFENDANTS' EXHIBIT R WAS MARKED FULL)
15:16:46	20	Q	Doctor, looking at Exhibit R, can you please tell us what
15:16:50	21		date this article was published?
15:16:51	22	A	It's published in it's updated version July 9, 2021.
15:16:55	23	Q	And, Doctor, specifically, what information in the CDC
15:17:02	24		produced literature that is before you in Exhibit R, was
15:17:05	25		relevant in helping you formulate your opinion regarding

15:17:071the use of masks in the K-12 setting for both the15:17:112executive order and for the RI Department of Health15:17:133Regulation?

So this is a review article. They looked at 98 different 4 Α 15:17:14 5 studies. You know one of the things that we see really 15:17:18 6 upfront with this is everyone recognized that kids do 15:17:20 7 better in school; physically, emotionally and 15:17:24 educationally, and they cite some studies to say that not 8 15:17:28 9 only do kids do better in school but if younger children, 15:17:32 15:17:36 10 0 to 4, are in early childhood education programs it 15:17:40 11 effects the ability of parents to work, have child care 15:17:43 12 issues.

15.17.44 13 So it was the right thing for people that I wanted to learn about and they referenced several studies. 15:17:47 14 When you look about the rest of this it talks about how the 15:17:51 15 15:17:55 16 disease is spread, the infection about schools and SARS-CoV-2 transmission. So a lot of studies are 15:17:58 17 referenced. They just talk about children do get 15:18:02 18 15:18:06 19 SARS-CoV-2 and Covid and there is measures in place to 15:18:10 20 prevent the spread. Children require this generally from 15:18:13 21 the community. And then we have a layered prevention 15:18:16 22 approach in schools. You really minimize the spread of 15:18:20 23 the virus in the schools.

15:18:2224There was another section that talked about, there's15:18:2425not just students in school there's staff and teachers,

and it's important to understand that there's studies that look at transmission in that regard as well, and that was a layered prevention approach to minimize transmission from the students to the teachers and the teachers to the staff to the students.

When you really get right down to it, what they 6 15:18:45 7 concluded was it's really a layered prevention approach, 15:18:47 and they talked about getting to what were their 8 15:18:51 9 recommendations towards the end. And they list all the 15:18:55 15:18:58 10 different strategies they would recommend, which is one 15:19:01 11 you should vaccinate everybody when they're eligible. 15:19:04 12 But they recognize as long as people age 12 and older can be vaccinated, they recommended consistent correct use of 15.19.08 13 They recommend physical distancing, doing 15:19:11 14 mask. screening tests in schools, make sure we work on 15:19:13 15 ventilations and other recommendations as well. 15:19:17 16

15:19:1917So the conclusion is you really can minimize the15:19:2318spread of SARS in schools, in these early childhood15:19:2719education settings if you take this layered approach at15:19:3120prevention.

- 15:19:3121QAnd, Doctor, the layered approach to prevention is with15:19:3422respect to COVID-19; correct?
- 15:19:36 23 A That's correct.

1

2

3

4

5

15:18:29

15:18:32

15:18:34

15:18:37

15:18:41

15:19:3724QAnd the factors that make up a layered approach to15:19:4125prevention are what?

15:19:42	1	A	Well, they list them as there's several different
15:19:48	2		strategies listed. One is making sure those who are
15:19:50	3		eligible for vaccination get vaccinated, and the second
15:19:53	4		one is consistent and correct use of masks. The third
15:19:55	5		one is physical distancing, screening and testing. In
15:19:59	6		other words, screening and testing, make sure the
15:20:01	7		ventilation works well, handwashing and respiratory
15:20:06	8		etiquette, make sure people stay home when they're sick,
15:20:09	9		contact tracing, isolation and quarentine and routine
15:20:13	10		cleaning of your building.
15:20:14	11	Q	Thank you, Doctor. Doctor, I don't know which exhibits
15:20:17	12		are before you now, but whatever you have can we get
15:20:20	13		back, please, so we can give them to Melissa.
15:20:28	14		THE CLERK: Did you need to review it at all?
15:20:31	15		MS. WYRZYKOWSKI: Not at this moment, no.
15:21:03	16	Q	Doctor, you have been handed what has been premarked as
15:21:07	17		Defendants' Exhibit S. Doctor, what is this that you've
15:21:14	18		been handed?
15:21:15	19	A	So this is the publication from the Center for Disease
15:21:17	20		Control and Prevention. It's the Morbidity and Mortality
15:21:21	21		Weekly Report. It's titled Outbreak SARS-CoV-2
15:21:26	22		Infection, Including COVID-19 breakthrough Infections,
15:21:30	23		Associated With Large Public Gatherings - Barnstable
15:21:34	24		County Massachusetts, July 2021.
15:21:37	25	Q	Doctor, do you recognize this document?

I do. 1 Α 15:21:39 2 Doctor, how do you recognize this document? 15:21:39 Q 3 I read it. 15:21:42 А MS. WYRZYKOWSKI: Your Honor, I ask that this 4 15:21:45 5 exhibit be moved in full. 15:21:46 6 MR. PICCIRILLI: At this point, your Honor, I'm 15:21:47 7 trying not to interrupt the proceedings too much to move 15:21:50 along here but I have to object. I don't know what the 8 15:21:54 9 relevance is. This was a study of the outbreak in 15:21:56 15:22:00 10 Provincetown amongst adults, mostly homosexual men, who have both been vaccinated, and apparently there was an 15:22:06 11 15:22:09 12 outbreak of Covid, even though they were vaccinated. 15.22.11 13 What possible relevance does that have with masking kids in schools in Rhode Island? 15:22:14 14 15:22:15 15

MS. WYRZYKOWSKI: That's exactly the relevance, your Honor. If vaccinated people can still spread it, having people who are unvaccinated in the masks helps prevent that spread. That's the whole point of these proceedings is what steps are necessary to help protect the children.

15:22:29 21

15:22:32 22

15:22:36 23

15:22:36 24

15:22:39 25

And if you're vaccinated and you can spread it, then we need to have masks on for children so that the unvaccinated children, those under 12, can't spread it.

And it also effects the children's age group, from 12 to 18, 53 percent who are vaccinated but can still

2 spread. 15:22:45 3 THE COURT: Before putting the article in as a 15:22:45 full Exhibit and having his conclusion already in full 4 15:22:47 5 evidence, why don't we build any expert opinion through 15:22:54 testimony first, and then put the Exhibit in full at the 6 15:22:58 7 end of testimony. 15:23:01 MS. WYRZYKOWSKI: Your Honor, I'm sorry, but 8 15:23:03 9 the ambulance, police car, I did not hear that. 15:23:04 The Court reserves on making it 15:23:06 10 THE COURT: 15:23:08 11 Why don't you just build his testimony and then we full. 15:23:11 12 can go back to whether it should be full. 15:23:12 13 Doctor, with respect to Exhibit S, can you please Q explain. Doctor, putting Exhibit S down, please. 15:23:18 14 15:23:22 15 Doctor? I have it. 15:23:22 16 А 15:23:23 17 I know. You can't look at it right now. It's not in 0 15:23:26 18 full. I can't look at it. 15:23:26 19 Α 15:23:27 20 Please put it down. Thank you. Doctor, with respect to 0 15:23:30 21 the Exhibit S, regarding the outbreak of COVID-19 in 15:23:34 22 Barnstable County, can you please tell us why that 15:23:40 23 information was relevant to you in your position as a 15:23:43 24 public health official?

spread the disease in academic settings causing community

1

15:22:43

15:23:44 25 A Yes. So it was -- this actually made the news.

15:23:52	1	MR. PICCIRILLI: He answered the question. Now
15:23:54	2	he's going beyond the question.
15:23:56	3	THE COURT: Yes is his answer.
15:23:58	4	Doctor, if you could explain?
15:24:00	5	This made the news. It was a big story. It was after
15:24:04	6	this article came out and got published that the director
15:24:07	7	for the Center of Disease Control and Prevention made a
15:24:10	8	change in recommendation, which is that even fully
15:24:13	9	vaccinated people should be wearing masks.
15:24:18	10	It was kind a dramatic study because in Barnstable
15:24:22	11	County, they actually had almost no cases of Covid right
15:24:25	12	before July 3rd. So there were very low prevalence, I
15:24:30	13	think it was maybe three cases the week before. They
15:24:32	14	actually saw this escalate quite a bit though, I believe
15:24:36	15	there were 469 cases over a two week period. And if I
15:24:39	16	recall correctly about 79 percent were in fully
15:24:43	17	vaccinated people. And I think five people, if I recall,
15:24:46	18	were admitted to the hospital. Four of them are fully
15:24:49	19	vaccinated.
15:24:50	20	So this was a study that got everyone's attention
15:24:53	21	and that's why it made the national news.
15:24:54	22	Doctor, with respect to this study, regarding the mostly
15:24:58	23	vaccinated population in Massachusetts, can you offer an
15:25:02	24	opinion to a reasonable degree of medical certainty,
15:25:05	25	based upon your training, education and experience, as to

15:25:07	1		why the information in this article, Exhibit S, is
15:25:11	2		relevant to a masking mandate in a K-12 setting? Can you
15:25:15	3		offer an opinion with respect to that?
15:25:16	4	А	Yes.
15:25:16	5	Q	What is that opinion, Doctor?

15:25:186ASo prior to this study the recommendation was that fully15:25:277vaccinated people did not need to wear a mask indoors.15:25:318After this study and because of this study, where15:25:369vaccinated people were at these large indoor gatherings15:25:3810and outdoor gatherings, and so many got infected.

15:25:4111Since the Center of Disease Control and Prevention15:25:4312made a change, based on this study that fully vaccinated15:25:4613people to wearing a mask indoors, it was a very telling15:25:5014study, because we know that some of the students who go15:25:5315to school, age 12 to 18, 53 percent of them are15:25:5816vaccinated. Yet having them wear a mask still is in line15:26:0317with the CDC recommendation.

Doctor, does the study as a whole provide information as to whether or not a vaccinated individuals can still spread COVID-19?

15:26:21 21 A Yes.

15:26:33 25

15:26:2222QCan you please explain what the conclusion is with15:26:2823respect to whether or not a vaccinated person can still15:26:3124spread COVID-19.

MR. PICCIRILLI: I think that is -- I'll have

15:26:35	1	to object. Its been asked and answered.
15:26:38	2	MS. WYRZYKOWSKI: Okay.
15:26:45	3	THE COURT: That's overruled. You can answer.
15:26:48	4	THE WITNESS: Yes, vaccinated people can spread
15:26:51	5	COVID-19. It was something they looked at in the study.
15:26:53	6	One of the things they pointed out was the cycle
15:26:56	7	thresholds for people who are vaccinated and had
15:26:59	8	COVID-19. People who are unvaccinated with COVID-19,
15:27:02	9	their cycle threshold numbers weren't different.
15:27:04	10	Q Doctor, how does the study specifically connect though
15:27:08	11	with a masking mandate in the K-12 setting?
15:27:11	12	A So one of the thresholds that we had to consider was
15:27:14	13	should we let students who are fully vaccinated not wear
15:27:18	14	masks in school? That was the question we needed to
15:27:22	15	answer.
15:27:23	16	But when you look at this study it's hard to make
15:27:26	17	that recommendation, because in this situation what you
15:27:30	18	see is vaccinated people who are unmasked are spreading
15:27:33	19	COVID-19. So, therefore, it made sense that fully
15:27:37	20	vaccinated students should still wear face masks because
15:27:40	21	we don't have heard immunity yet.
15:27:44	22	MS. WYRZYKOWSKI: Your Honor, I ask that
15:27:45	23	Exhibit S be moved in full now.
15:27:48	24	MR. PICCIRILLI: Judge, I'm confused. We went
15:27:50	25	through so much testimony about why it's important to get

people vaccinated and because kids are not vaccinated they present a greater risk and that's why we're masking.

1

2

3

4

5

6

7

8

9

15:27:53

15:27:56

15:28:00

15:28:03

15:28:08

15:28:13

15:28:18

15:28:22

15:28:27

15:28:31 10

15:28:32 11

15:29:20 24

15:29:24 25

Now they're saying vaccinations don't matter for the spreading of Covid, so are we just throwing out all the prior testimony from this expert that the reason you are masking kids in school is because they're unvaccinated. He just contradicted his own -- all of his prior testimony. So pick a lane. Are we going to introduce tests and throw everything else out or keep everything else out and throw away masks? I don't understand.

MS. WYRZYKOWSKI: Your Honor, COVID-19 --

15:28:3312THE COURT: It's a world in which Dr. McDonald15:28:3513and the Governor have lived in for a few years, it has15:28:3814changed often, and here what it is this Exhibit S shows a15:28:4315change in the way the CDC is going to treat masking. The15:28:4716Doctor just testified that the relevance is the masking15:28:5417protocol changed by the CDC as a result of this.

15:28:5818He also showed how it influenced him in coming to15:29:0319conclusions concerning masking. All of which are subject15:29:0820to cross-examination. I'm not saying it's logical or15:29:1221appropriate, I'm just saying it's relevant. So therefore15:29:1522Exhibit S is relevant and S is full, subject to15:29:1923cross-examination.

MR. PICCIRILLI: Thank you, your Honor. MS. WYRZYKOWSKI: Doctor, I just want to give 15:29:27

1

2

Exhibit S back to Melissa.

(DEFENDANTS' EXHIBIT S WAS MARKED FULL)

3 Doctor, prior to this study that was done with respect to 15:29:35 Q Exhibit S, did you have an opinion to a reasonable degree 4 15:29:39 5 of medical certainty, as to whether or not a vaccinated 15:29:42 6 individual should be wearing a mask to help prevent the 15:29:45 7 spread of COVID-19? 15:29:49

15:29:50 8 A Yes.

15:29:50 9 Q What was that opinion prior to the study?

15:29:53 10 That fully vaccinated people did not need to wear a mask. Α 15:29:57 11 Then the study came out, Exhibit S, and when the study 0 15:30:01 12 came out of Exhibit S, what was learned from that study 15:30:05 13 with respect to vaccinated people and wearing masks? What was clear is we're not at heard immunity, not by a 15:30:08 14 А 15:30:14 15 long shot, and what you see is when you put in mask 15:30:18 16 studies, 79 percent of the people who were infected were 15:30:21 17 vaccinated. Well, that's clearly not enough to have 15:30:24 18 heard immunity.

15:30:2419What you saw was when you put these folks in large15:30:2720indoor gatherings and large outdoor gatherings, they15:30:3121still spread the virus from one person to the other. And15:30:3422keep in mind, they largely did better because they're15:30:3923vaccinated, they have better health outcomes. Yet what15:30:4124it demonstrates though is that the Delta virus is very15:30:4425contagious, very easy for someone to get infected, and

15:30:471very easy for even a fully vaccinated individual to15:30:512spread from themselves to someone else who can be15:30:553unvaccinated or fully vaccinated.

So, Doctor, can you offer an opinion to a reasonable 4 15:30:56 Q 5 degree of medical certainty, based upon your training, 15:30:59 6 education and experience, as to what the point of getting 15:31:02 7 the vaccine is if you can still spread the disease while 15:31:06 fully vaccinated? 8 15:31:09

15:31:10 9 A Yes.

15:31:10 10 Q What is that opinion, Doctor?

15:31:12 11 A So a vaccine --

MR. PICCIRILLI: Objection. Your Honor, I'm going to object. I mean we're not here on whether or not there should be a vaccine mandate, I mean that's a case for another day and another time that affects healthcare workers. We're here about masking. So whether or not he has an opinion about whether vaccines work or how well that is is really irrelevant I think to this case.

MS. WYRZYKOWSKI: Your Honor, if I may, it's complete relevant because what we're looking at here is a new version of the disease of Delta. Initially the CDC and the APA and the Doctor was under the opinion that, hey, once we get vaccinated we're going to be okay now. but now when Delta came it changed the landscape. So that is why getting vaccinated and wearing a mask is key,

and you also have 53 percent of the school age 1 15:31:49 2 population, 12 and older, being vaccinated. So it goes 15:31:51 3 to why someone who was vaccinated in that age group, 15:31:54 still must wear a mask in an academic settings, which is 4 15:31:57 5 the exact point of this hearing. If I can offer a case 15:32:00 of proof, your Honor. The Doctor would testify --6 15:32:10 7 THE COURT: Well, I'm going to take what you 15:32:12 gave me as an offer of proof, but you can continue. 8 15:32:15 MS. WYRZYKOWSKI: Dr. McDonald will testify, 9 15:32:19 15:32:21 10 your Honor, that the vaccine helps prevent people from 15:32:24 11 getting really, really sick. It's similar to the flu 15:32:27 12 vaccine. You can still get the flu but you're not going 15.32.30 **13** to get it as badly. It's a similar concept. So that's why vaccine and masking go together in an 15:32:32 14 15:32:35 15 academic setting, which is why we're here. MR. PICCIRILLI: Your Honor, I thought the 15:32:43 16 15:32:43 17 question was whether he had an opinion as to whether or 15:32:47 18 not -- why is it still a good idea to get the vaccine? 15:32:52 19 Well, okay, so you don't get sick. 15:32:52 20 THE COURT: Well, actually, I'd like the 15:32:52 21 question read back. 15:32:52 22 (Record read) 15:33:36 23 THE COURT: And the objection is sustained. 15:33:39 24 Doctor, you testified earlier that approximately 53 Q percent of the K-12, those over the age of 12 in the K-12 15:33:44 25

15:33:49	1		setting, are fully vaccinated?
15:33:50	2	A	Yes.
15:33:51	3	Q	Doctor, based upon your training, education and
15:33:55	4		experience, can you offer an opinion to a reasonable
15:33:57	5		degree of medical certainty, as to whether or not
15:34:00	6		students in a K-12 setting over the age of 12 who are
15:34:04	7		vaccinated can still spread COVID-19?
15:34:07	8	А	Yes.
15:34:07	9	Q	What is that opinion, Doctor?
15:34:09	10	А	They can still spread COVID-19.
15:34:11	11	Q	Doctor, how do you know that children in a K-12 setting
15:34:15	12		over the age of 12 who are vaccinated, can still spread
15:34:18	13		COVID-19?
15:34:19	14	А	Because the vaccine is really good at protecting people
15:34:23	15		from dying and ending up in the hospital. It's also very
15:34:26	16		good at preventing from getting the disease, but it's not
15:34:29	17		100 percent, no vaccine is, and we know that when people
15:34:33	18		get infected with SARS-CoV-2 they're contagious and they
15:34:38	19		can spread it from one person to the other.
15:34:40	20		So therefore we know that students who are fully
15:34:42	21		vaccinated could spread from themselves to someone else.
15:34:45	22		MR. PICCIRILLI: I'm going to move to strike.
15:34:47	23		The answer was why he thinks vaccines are good, which was
15:34:52	24		just objected to and sustained. The question was whether
15:34:59	25		children who are vaccinated in schools can still spread
		1	

1 Covid. His answer doesn't match up with the question. 15:35:03 2 He tried to answer the previous question about why 15:35:06 3 vaccines are a good idea. The simple question was can 15:35:10 children ages 12 through 17 or 18 in school, who are 4 15:35:14 5 vaccinated, still spread Covid? Simple yes or no answer 15:35:18 6 to that. 15:35:22

15:35:247MR. WYRZYKOWSKI: And I believe there was a15:35:308follow-up question to that.

15:35:319THE COURT: There was, he did answer a bit and15:35:3610he went on, but the answer stands. The motion to strike15:35:3911is denied.

15:35:4012QDr. McDonald, we talked at length about masking,15:35:4513preventative measures, how masks work. I'd like to15:35:5214direct your attention now to an allegation in the15:35:5415complaint. Are you aware -- have you reviewed the15:36:0216complaint in this case?

15:36:03 17 A I did.

15:36:0318QOne of the issue in this case, and Plaintiffs' counsel15:36:0919will correct me if I'm wrong, is whether or not parents15:36:1320can make their own decision as to whether or not their15:36:1621children should be masked when going into an academic15:36:1922setting. Doe that sound familiar to you?15:36:2123AYes.

15:36:2224QBased upon your training, education and experience, can15:36:2625you offer an opinion to a reasonable degree of medical

	·	
15:36:30	1	certainty as to whether or not all children in a K-12
15:36:37	2	setting, regardless of vaccination status, should be
15:36:41	3	masked or not?
15:36:42	4	A Yes.
15 : 36:43	5	Q Doctor, what is that opinion?
15:36:45	6	A That all students should be wearing a mask in K-12
15:36:49	7	settings.
15:36:50	8	Q Doctor, can you please explain the basis for your opinion
15:36:54	9	that all children should be wearing a mask in a K-12
15:36:57	10	setting?
15:36:58	11	MR. PICCIRILLI: Objection, your Honor. I
15:37:00	12	think he's explained that many, many times over the last
15:37:04	13	few days of his testimony. Do we have to go through it
15:37:06	14	again?
15:37:07	15	MS. WYRZYKOWSKI: Your Honor, he did not
15:37:08	16	explain the fact that many of the parents want to be able
15:37:11	17	to make a decision as to whether or not children can wear
15:37:13	18	masks. The issue stems from the fact that masks really
15:37:16	19	protect the public at large not just the wearer.
15:37:19	20	MR. PICCIRILLI: That was
15:37:20	21	THE COURT: He's already testified to that.
15:37:23	22	You're correct. I thought you were getting into the
15:37:25	23	parents now.
15:37:26	24	MS. WYRZYKOWSKI: Then I did, your Honor. I
15:37:27	25	apologize.

THE COURT: Thank you. 1 15:37:28 2 MS. WYRZYKOWSKI: Your Honor, I just need one 15:37:45 3 15:37:46 moment. 4 THE COURT: Yes. 15:37:46 5 (Pause taken). 15:37:46 MS. WYRZYKOWSKI: Okay. Melissa, could I 6 15:38:38 7 please have Exhibit 10. Melissa, let me just get them 15:38:40 all from you at once. Your Honor, can I just have a 8 15:38:53 9 moment, please, I'm missing note pads causing some 15:39:06 15:39:10 10 issues. (Pause taken) 15:39:12 11 15:39:35 12 MS. WYRZYKOWSKI: Melissa, 8, 10, 15, 23 and 25. 15:39:39 13 THE CLERK: 8, 10, 15, 23, 25? 15:39:42 14 MS. WYRZYKOWSKI: Yes. 15:39:52 15 MS. WYRZYKOWSKI: Okay, Doctor, you have --15:40:13 16 Q THE COURT: Could you try to open the window? 15:40:34 17 15:40:38 18 See if we can we try to open the window a little bit. (Pause taken) 15:41:11 19 15:41:11 20 Doctor, I'd like to draw your attention to Plaintiffs' Q Exhibit 8. Do you have that, Doctor? 15:41:17 21 15:41:20 22 I do. Α 15:41:21 23 It's entitled, Delta Wave, Rhode Island Daily Pediatric Q 15:41:25 24 Hospitalization? 15:41:25 25 Yes. Α
15:41:26	1	Q	Doctor, this exhibit was introduced during Plaintiffs'
15:41:31	2		Direct Examination of Dr. Bostom. Do you recognize it?
15:41:34	3	А	I do.
15:41:35	4	Q	Can you please, looking at the exhibit, can you please
15:41:40	5		state when the dates begin and end.
15:41:44	6	A	It starts on August 1st, 2021 and it ends on October 4,
15:41:52	7		2021.
15:41:52	8	Q	Doctor, looking at that Exhibit, can you please identify
15:41:57	9		how many hospitalizations there were of pediatric
15:42:01	10		patients prior to the administration of the Executive
15:42:04	11		Order?
15:42:04	12	A	Yes, I can.
15:42:05	13	Q	And how many is that, Doctor?
15:42:07	14	A	If I could have a moment to count.
15:42:09	15	Q	Yes, I apologize.
15:42:11	16	A	So including up to August 19th, there's 19.
15:42:22	17	Q	And, Doctor, how active is August 19th? How many
15:42:26	18		admissions were there?
15:42:27	19	A	There was 48.
15:42:43	20	Q	Doctor, looking at this exhibit, knowing there were 19
15:42:47	21		admissions before the August 19th Executive Order and 48
15:42:50	22		admissions after the Executive Order, what, if anything,
15:42:54	23		does Plaintiffs' Exhibit 8 tell you?
15:42:56	24	A	It's talking about the number of children who are
15:43:00	25		admitted to the hospital.

Г

15:43:02	1		MR. PICCIRILLI: Objection, your Honor. I want
15:43:03	2		to be clear, I'm sorry, I didn't hear the question.
15:43:07	3		These are not new admissions, these are existing
15:43:10	4		admissions. So I don't know, I don't want the witness to
15:43:15	5		be misinterpreting what he should already know as the
15:43:18	6		Health Director. These are the daily census. How many
15:43:23	7		patients are actually in the hospital on a given day? So
15:43:27	8		they're not 19 cases prior to August 19th and 48 cases
15:43:31	9		after. That's not what this document represents and the
15:43:34	10		witness should know this.
15:43:36	11	Q	And, Doctor, looking at the Exhibit now
15:43:38	12		THE COURT: It's a good question for cross but
15:43:41	13		go ahead.
15:43:41	14	Q	Doctor, looking at the Exhibit now and understanding what
15:43:44	15		Plaintiffs' counsel just said, can you please clarify as
15:43:48	16		to how many patients were in the hospital prior to the
15:43:52	17		Executive Order?
15:43:53	18	А	You know, it's his Exhibit. I mean I don't think it's
15:43:56	19		labeled very well, but it looks like its average
15:44:00	20		pediatric Covid-19 hospitalizations. So he's saying
15:44:02	21		there's generally 1, 2 or 3 or 4 cases in the hospital
15:44:05	22		per day.
15:44:06	23	Q	And does that number increase, Doctor, after the
15:44:09	24		Executive Order?
15:44:10	25	A	Yes.

Γ

15:44:10	1	Q	Doctor, I want to fast forward now to Plaintiffs'
15:44:14	2		Exhibit 10. You should have that in front of you.
15:44:18	3	A	I do.
15:44:18	4	Q	Actually, Doctor, let's fast forward to Plaintiffs'
15:44:29	5		Exhibit 23. You should also have that in front of you.
15:44:33	6		MR. PICCIRILLI: 23?
15:44:36	7		MS. WYRZYKOWSKI: 23, yes.
15:44:37	8	Q	Doctor, do you have Plaintiffs' Exhibit 23 in front of
15:44:47	9		you?
15:44:47	10	A	I do
15:44:47	11	Q	Doctor, looking at Plaintiffs' Exhibit 23, on the left
15:44:50	12		hand column, can you please identify the hyperlink that
15:44:54	13		is listed there?
15:44:55	14	A	It says it's from slickdeals.net.
15:44:58	15	Q	Doctor, as a medical professional, are you familiar with
15:45:00	16		the website, slickdeals.net?
15:45:03	17	A	No.
15:45:03	18	Q	Doctor, as a medical professional, do you currently
15:45:06	19		receive your medical literature from a website entitled
15:45:09	20		slickdeals.net?
15:45:11	21	A	No.
15:45:11	22	Q	Doctor, as a medical professional with the Rhode Island
15:45:20	23		Department of Health, have you ever received medical
15:45:23	24		literature from a website entitled slickdeals.net?
15:45:26	25	A	No.
		1	

Γ

MS. WYRZYKOWSKI: Your Honor, we still have 1 15:45:38 2 some housekeeping measures. We have Dr. McDonald's 15:45:40 3 resume that we need to introduce, I believe plaintiffs' 15:45:44 counsel has already agreed to that. 4 15:45:47 5 Outside of that, the State would like an opportunity 15:45:49 to just kind of pause and make sure we have all the 6 15:45:52 7 exhibits entered into evidence, and I believe all the 15:45:55 questions have been covered but I would like a moment to 8 15:45:58 9 confer with my co-counsel. So would it be possible to 15:46:01 15:46:07 10 just wait to get Dr. MacDonald's affidavit, which is 15:46:08 11 being walked -- excuse me, resume which is being walked 15:46:08 12 over and use that time to confer with co-counsel? 15:46:13 13 Sure. We're obviously going to THE COURT: need another for Dr. McDonald to be --15:46:16 14 15:46:19 15 MS. WYRZYKOWSKI: Unfortunately, yes. THE COURT: Are you near the end of your 15:46:20 16 15:46:22 17 testimony? MS. WYRZYKOWSKI: I am, your Honor. 15:46:22 18 15:46:23 19 THE COURT: Okay. We can break now for the day and set another time? 15:46:25 20 MR. PICCIRILLI: And, your Honor, just so the 15:46:27 21 15:46:29 22 record is clear, if we can introduce that Exhibit, 15:46:33 23 Dr. McDonald's CV, obviously, no objection. I don't know if you have to do it on the record, or whenever we get it 15:46:39 24 15:46:43 25 that's sufficient. I say it now.

15:46:46	1	MS. WYRZYKOWSKI: And I appreciate that. I
15:46:48	2	still want to make an opportunity to make sure the
15:46:51	3	exhibits are done and an opportunity to confer with
15:46:51	4	co-counsel.
15:46:52	5	THE COURT: Of course, no problem. Can we
15:46:54	6	break now for the day?
15:46:55	7	MS. WYRZYKOWSKI: Thank you, your Honor, yes.
15:46:57	8	THE COURT: We'll break now for the day and
15:46:59	9	Dr. McDonald stays on direct. You're excused for the
15:47:02	10	day. Thank you.
15:47:03	11	MS. WYRZYKOWSKI: Thank you. Oh, I'm sorry, do
15:47:05	12	we have a time for tomorrow?
15:47:07	13	MR. PICCIRILLI: Are we on for tomorrow?
15:47:09	14	THE COURT: Why don't we talk. So why don't we
15:47:15	15	conference this now.
15:47:16	16	THE SHERIFF: All rise.
15:47:16	17	A-D-J-O-U-R-N-E-D
	18	
	19	
	20	
	21	
	22	
	23	
	24	
	25	